

1 the jury you were resting.

2 MR. DUBUC: Yes.

3 The defendant rests, Your Honor.

4 THE COURT: Very well.

5 MR. LEWIS: In rebuttal, Your Honor, we call
6 as our first witness Dr. Busby.

7 Would you ask him to come in, please.

8 WHEREUPON,

9 *April 18, 1980*

10 **DOUGLAS EARL BUSBY,**

11 was called as a witness on behalf of the plain-
12 tiff, and having been duly sworn, was examined and
13 testified as follows:

14 DIRECT EXAMINATION

15 BY MR. LEWIS:

16 Q Would you state your full name, please, sir?

17 A Douglas Earl Busby.

18 Q What is your occupation or profession, sir?

19 A My profession is that of a physician presently
engaged in the practice of environmental medicine.

20 Q And what is the title of your current or present
21 position?

22 A I am Chairman of the Department of Environmental
23 Health at the Cleveland Clinic in Cleveland, Ohio.

24 Q Would you tell us briefly what the Cleveland
25 Clinic is?

1 A The Cleveland Clinic is not for profit foundation
2 engaged in the practice of medicine in its broadest aspects,
3 as well as conducting research in education activities.

4 Q Do you have a medical specialty, Doctor?

5 A My specialty is preventive medicine, subspecialty
6 aerospace medicine.

7 Q Would you give us a resume of your education?

8 A My undergraduate education was obtained in
9 Canada at London, Ontario, at the University of Western
10 Ontario, where I received my M.D. cum laude in 1960.

11 Q What does "cum laude" mean?

12 A This placed me at the top of the class in Canada.

13 Q All right, sir.

14 A Subsequently I received graduate training in
15 pulmonary or lung physiology at the University of Buffalo
16 and cardiovascular physiology at the University of Western
17 Ontario.

18 I completed two years of general surgery training
19 and in the second year completed a Master's Degree in
20 cardiovascular biophysics.

21 It was at that time that I came to to the United
22 States to join into the space program, joining the Lovelace
23 Foundation in Albuquerque, New Mexico, where I became an
24 information analyst under a NASA contract.

25 Q Now, you were then at the Lovelace Foundation

1 working actively in what is called aerospace medicine; is
2 that correct?

3 A Full time in aerospace medicine.

4 Q Now, are you a diplomate of any board?

5 A In 1965 the late Randolph Lovelace encouraged me
6 to complete my boards requirements for specialization and
7 I returned to Ohio State University to take my Didatic
8 training to complete my specialty, which I successfully
9 passed in 1968, becoming a Diplomate of the American Board
10 of Preventive Medicine in Aerospace Medicine.

11 Q Now, would you tell us where you are licensed
12 to practice?

13 A I am licensed to practice in several states,
14 including Ohio, which is my primary state, California,
15 Oklahoma, Maryland and New Mexico.

16 Q Now, have you received any honors and awards in
17 your profession?

18 A My principal honor is that I am a Fellow of the
19 Aerospace Medical Association.

20 During my training I received a number of awards,
21 including gold medals for my accomplishments in medical
22 school.

23 Most recently I received a career award from the
24 Federal Aviation Administration and was also made a charter
25 member of the Senior Executive Service by the President.

1 Q The President of the United States?

2 A Yes.

3 Q Now, when you said you were a Fellow of the
4 American College of Preventive Medicine, Aerospace Division,
5 or whatever --

6 A Actually there are two fellowships. One is a
7 Fellow of the Aerospace Medical Association, which is an
8 honor, and the other is more of an academic institution.
9 That is the American College of Preventive Medicine.

10 Q Now, do all aerospace physicians belong to either
11 of these?

12 A All aerospace?

13 Q I mean is it an automatic situation or are you
14 chosen by some group for these honors or positions?

15 A In terms of the college it is primarily auto-
16 matic. You register as a member of the American College
17 of Preventive Medicine. This allows you to obtain certain
18 academic offerings that the college offers and to partici-
19 pate in developing the field of preventive medicine in
20 general.

21 Q The special achievement award is a distinction?

22 A Yes, it is.

23 Q Now, would you give us a description of your
24 professional memberships as they relate to your specialty?

25 A I am a member of the Aerospace Medical Associa-

1 tition. I am on its Executive Council, which is an elected
2 position.

3 I am presently Chairman of the scientific
4 program for the aerospace medical association meeting, which
5 which be held in Anaheim in May.

6 I have also been a member of the American Medical
7 Association in times past. With my change in the position
8 I will be re-entering that institution.

9 I am a member of the American College of Pre-
10 ventive Medicine, the Cleveland Academy of Medicine, and
11 a number of other organizations.

12 Q Now, have you served on any professional com-
13 mittees in addition to those that you have named?

14 A Most recently I was Chairman of the Education
15 Committee of the Aerospace Medical Association.

16 In terms of my Government experience, prior to
17 my resigning from the Federal Government, I was on the
18 so-called SAFER Committee, which is the Special Advisory
19 Committee For Fire and Explosion Reduction, which was a
20 congressionally mandated committee.

21 Q It reports to the Congress of the United States?

22 A Yes. I believe so.

23 Q Could you give us your academic teaching appoint-
24 ments?

25 A I began my career teaching part-time at the

1 University of Colorado Medical School.

2 Subsequently I have taught at and been on the
3 staff of the University of Southern California.

4 I taught at York University in Toronto; University
5 of Toronto; Oklahoma State -- University of Oklahoma,
6 rather, and am presently a guest lecturer to the North-
7 eastern Ohio Faculty of Medicine.

8 Q And what subjects have you taught in general?

9 A I teach primarily aerospace medicine as applied
10 in clinical practice, as well as another area of my interest
11 being aerospace physiology and human factors.

12 Q Now, would you describe your past professional
13 work which led up to your current position at the Department
14 of Environmental Medicine?

15 You have been with the United States Government,
16 sir?

17 A Five years until August past.

18 Q Well, give us your history after you left the
19 Lovelace Foundation, if you would.

20 A I left the Lovelace Foundation in 1967 and became
21 a life scientist. That is a researcher in industry with
22 the Garret Air Research Corporation. At that time we were
23 involved in designing and testing space suits for the space
24 program. And I monitored the subjects involved in the tests,
25 as well as participated actively in the research.

1 This followed with the medical directorship of
2 the Continental Airlines, Incorporated of Los Angeles,
3 where I developed their medical department and was the
4 medical director for three years.

5 Thereafter I followed a period of consulting, in
6 which I was involved with the B-1 bomber program of the
7 North American Corporation.

8 I was actively engaged as a consultant to
9 McCullick International Airlines, still Continental Air-
10 lines, and did a great deal of teaching during this period
11 of time.

12 I was also engaged to some degree in active
13 practice of emergency medicine.

14 I was invited to join the Canadian Government
15 in 1972 and had a choice between joining the FAA, which
16 had a job freeze on at that time, or going to Canada. I
17 selected Canada primarily due to the job freeze. I re-
18 turned to Toronto where I established -- at the Defense
19 and Civil Institute of Environmental Medicine in Toronto --
20 the Civil Aviation Medicine Unit, which was a unit to be
21 dedicated to the evaluation of pilot medical problems,
22 related to certification, establishing a research program,
23 and an education program.

24 In 1974 I had the opportunity to accept the
25 position that had originally been offered to me at the

1 FAA Civil Aeromedical Institute.

2 Q What is the "FAA"?

3 A The FAA Civil Federal Aviation Administration.

4 Q I get some of the Government organizations mixed
5 up. The FAA, that is the Federal Aviation Administration?

6 A Yes.

7 It is one of the components of the Department of
8 Transportation. It is the largest component.

9 Q What position did you have with the FAA?

10 A I was invited to join the FAA as the Chief of
11 the Aeromedical Research at the FAA Civil Aeromedical
12 Institute in Oklahoma City. That was my initial offer.
13 However, I was asked to be the Chief of the Aviation
14 Physiology Lab for a period of several months due to some
15 problems that had developed in that lab that required
16 resolution.

17 Q Who was your predecessor in that lab?

18 A In the lab?

19 Q Yes.

20 A In the lab was Dr. Pat Iampetro.

21 When I was promoted to Chief of the Research
22 Branch at CAMI, as I will refer to I --

23 Q That is the Civil --

24 A Civil Aeromedical Institute.

25 I was promoted to Chief of the Research Branch

1 in 1975, early '75, where I took over the responsibility
2 for all of the research, some 92 scientists and technicians,
3 about 32 research projects that were being conducted there.

4 Q And following that?

5 A In 1976, in May, the Federal Air Surgeon appoint-
6 ted me as Deputy and transferred me to Washington.

7 Q What are the responsibilities of the Deputy
8 Federal Air Surgeon?

9 A The Deputy Air Surgeon is best described as the
10 alter ego of the Federal Air Surgeon. That is, being the
11 Federal Air Surgeon when he is away.

12 So, I will describe what the Federal Air Surgeon
13 is.

14 The Federal Air Surgeon is responsible for the
15 medical certification of some 760,000 pilots in the United
16 States. He is responsible for the medical aspects of the
17 accident investigation program, for the designation of
18 aviation medical examiners who examine and certify pilots.

19 He has a very large education program for train-
20 ing pilots in the medical affects of flight and health for
21 flight.

22 He is responsible for the air traffic controller
23 health program, some 26,000 air traffic controllers being
24 involved in this program.

25 And he is responsive to the administrator in a

1 number of areas, hijacking being one, medical problems
2 occurring on an aircraft being another, and possibly even
3 a third, more recently being the ozone problem that has
4 surfaced again in the early winter months.

5 Q And how long did you perform the functions of
6 Deputy Federal Air Surgeon and exercise those responsi-
7 bilities?

8 A Just over three years.

9 Q And when did you leave that?

10 A August 15, 1979.

11 Q And since that time you have been with the
12 Cleveland Clinic; is that right?

13 A Yes.

14 Q Now, have you had any special concentration in
15 altitude work?

16 A Well, going back to my pregraduation year from
17 medical school, I had two summers with the Royal Canadian
18 Air Force in Toronto. At that time they were conducting
19 some early pioneering work on the affects of rapid de-
20 compression on pilots. And, in particular, after an acci-
21 dent had occurred in flight, they were very interested in
22 how long a person would stay conscious before falling un-
23 conscious after a decompression occurred.

24 There were some very fine films, research films,
25 made of this that are still in use today.

1 During this period of time I was asked by my
2 superior to rewrite the pilots' physiology handbook and
3 particularly concentrate on the affects of altitude on man.

4 I remained actively interested in the affects
5 of altitude, like teaching altitude physiology when I was
6 at the University of Buffalo to the Firewell Corporation.

7 We had an accident in one of their chambers and
8 it involved an episode of decompression sickness or bubbles
9 forming in the circulatory system and causing blindness.

10 So, we talked to their people about aviation
11 physiology.

12 My major activity -- really activities center
13 in two areas. I was asked as part of my information
14 analysis work with NASA to have a close look at some of the
15 medical problems that might possibly occur in space and
16 how we might handle them, particularly oriented toward a
17 long term space mission. Let's say the Mars mission. You
18 are going in that direction and you can't come back to
19 receive medical care very quickly, in contrast to the
20 orbiting in the Lunar missions.

21 And, consequently, I had a close look at what
22 would happen if you lost cabin pressure or spacecraft
23 pressure. And there it can be very serious, indeed.

24 I went around the country talking to a number of
25 leading authorities in low oxygen affects.

1 Q Hypoxia?

2 A Hypoxia, which is really a term which defines the
3 affects of low oxygen on the body.

4 In 1974 when I joined the FAA CAMI, we were very
5 interested in the events that occurred on a DC-10 over
6 Albuquerque. You may recall that in November of 1973 a
7 jet engine disrupted and tore holes in the side of the air-
8 craft. And one of the holes was a broken window and a
9 passenger went through that window when the aircraft de-
10 compressed.

11 Now, some of the stewardesses were down below
12 and lost consciousness, whereas most of the passengers
13 got to the oxygen and had really no problems.

14 So, we were interested in determining whether or
15 not the physical activities of these stewardesses was really
16 the cause of their losing consciousness in spite of really
17 some of them getting to oxygen.

18 We were also interested in the airline procedures
19 that they published for their stewardesses as to what to
20 do. Is a stewardess to wander around or put a mask on some-
21 body's face and then go around and put another mask on? Or
22 is a stewardess to sit down and remain physically inactive?

23 Because when you are at an altitude you have more
24 of a demand for oxygen and yet less oxygen.

25 So, we conducted research in this area.

1 Q Did you become familiar with the literature, in
2 addition to reviewing and interviewing the authorities on
3 hypoxia under these circumstances? Did you become familiar
4 with the literature in the field when you were doing this
5 work?

6 A Well, I maintained an active interest in the
7 literature ever since 1958 and consequently have collected
8 just about everything I could find.

9 Particularly, in publishing now in the area of
10 the two papers related to research in FAA CAMI, we had to
11 go back and make sure that we hadn't missed anything. So,
12 we looked at the Russian literature and the German litera-
13 ture and so on.

14 So, we pretty well looked at everything that
15 was available.

16 Q How many scholarly publications, if you can tell
17 me, have you made?

18 A I believe it is in the upper 20s, if I am not
19 mistaken.

20 THE COURT: Upper 20s for what?

21 MR. LEWIS: I asked him how many scholarly publi-
22 cations that he has and he said that was in the upper 20s.

23 BY MR. LEWIS:

24 Q Now, these are in scientific journals and things
25 like that?

1 A Well, I wrote the section on aerospace medicine
2 for the Encyclopedia Britannica.

3 There is also an editorship of a book called
4 Recent Advances in Aerospace Medicine.

5 Then, the first authoritative text based on my
6 work at Lovelace Foundation on the emergency situation
7 called Base Clinical Medicine.

8 Q Now, your work in the field, does it include an
9 understanding of the crash impacts, decompression and
10 hypoxic affects on human beings?

11 A It has to a very great degree.

12 While I was at the Civil Aeromedical Institute
13 we had an extensive crash impact program. I believe that
14 related significantly to this case in the fact that we
15 were testing infant restraining systems.

16 I was involved in overseeing that through the
17 chief of the protection and survival laboratory.

18 Q This is the infant restraint for passenger air-
19 planes?

20 A For passenger aircraft.

21 Q All right.

22 Go on.

23 A During my period of time in Washington I was
24 required to appear on many occasions at various meetings.
25 In fact, at congressional hearings to answer to a research

1 program, which always seemed to be under attack, and to
2 explain the various things that we were doing and to
3 justify our research and point out how it was going to be
4 applied to passenger protection in particular.

5 MR. LEWIS: I tender Dr. Busby as an expert in
6 aerospace medicine, if it please the Court.

7 THE COURT: Mr. Dubuc.

8 MR. DUBUC: May I ask a few questions, Your
9 Honor.

10 THE COURT: Certainly.

11 VOIR DIRE EXAMINATION

12 BY MR. DUBUC:

13 Q Doctor, you mentioned you are at the Cleveland
14 Clinic at the present time?

15 A That is correct, sir.

16 Q And as I recall what you have just told us, you
17 are involved in a program, including occupational aviation
18 and preventive medicine?

19 A Yes.

20 Q What portion of your time is on occupational
21 medicine?

22 A At this time about 60 percent. The remainder is
23 in aerospace medicine.

24 Q And when you say "occupational medicine", what
25 are you referring to? Is that the OSHA type thing?

1 A Primarily concerned with the environment of the
2 worker and prevention programs and surveillance programs.

3 Q You mentioned "preventive medicine" also.
4 Is that in the occupational field or some other
5 field?

6 A We are really talking about an area that overlaps
7 so much.

8 In preventive medicine and the American Board of
9 Preventive Medicine, which is the primary specialty, there
10 are three separate specialties: Aerospace, occupational
11 and general preventive medicine in public health. The
12 latter two are joint now.

13 The occupational medicine people say that aero-
14 space medicine is really a specialized form of occupational
15 medicine, which, indeed, to a great degree it is.

16 So, when we are talking about preventive medicine,
17 I am the supervisor or director of the executive health
18 program at the Cleveland Clinic. It is no different to the
19 preventive health maintenance program that we had at Con-
20 tinental Airlines, or for that matter at the FAA. We are
21 dealing with much the same type of problems, trying to
22 keep the executive working and the pilot flying.

23 Q Now, in connection with this work at the Cleve-
24 land Clinic, you mentioned aviation represents 40 percent
25 of your time.

1 How much of that involves actually working on
2 or consulting on aviation accidents as opposed to research
3 in this field?

4 A At this time I am not involved in any aviation
5 accidents directly.

6 Q When you were Deputy Federal Air Surgeon, did
7 you get involved in any actual accident analysis investi-
8 gations or was it research and review of what someone else
9 had done?

10 A While I was Deputy Federal Air Surgeon one of
11 my major activities was to comprehensively review the
12 entire medical accident investigation program of the FAA.
13 And I would say that I spent at least 20 percent of my
14 time on reviewing this program.

15 Our involvement in aviation accidents was not
16 at the scene, but primarily through headquarters functions.

17 Q And that would involve reviewing the work of
18 others; right?

19 A Reviewing primarily the work of others or giving
20 directions from our desks.

21 Q You haven't been to the accident scene or been
22 involved in any investigation in this particular accident;
23 is that correct?

24 A That is correct.

25 Q How long have you been involved in reviewing any

1 facts or analysis in connection with the accident you are
2 now going to testify about?

3 A It precedes the conference we attended by
4 approximately three days.

5 Q You are referring to a March 8th conference?

6 A A March 8th conference.

7 Q So, you effectively have been working on this
8 accident since March 5th or approximately one month?

9 A That is correct.

10 Q Was that the first connection you had with this
11 proceeding or any testimony to be given in this proceeding?

12 A The first connection whatsoever.

13 Q And had you formulated any opinions as of that
14 conference on March 8th or were you still in the process
15 of formulating them?

16 A Still in the process.

17 Q When did you finally come to any opinions that
18 you are going to render here today?

19 A Approximately two weeks ago.

20 Q And did you ever write any report?

21 A No report has been written, sir.

22 Q But you have conferred with counsel for the
23 plaintiffs?

24 A Yes.

25 Q And have you conferred with any of the physicians

1 who examined Michael Schneider?

2 A Yes.

3 Q You conferred with them at that March 8th meet-
4 ing; did you not?

5 A Yes. Extensively.

6 Q All right.

7 Did you confer with eyewitnesses at that March
8 8th meeting as well?

9 A The only person who I had any contact with was
10 correspondent Murray Fromson.

11 Q You had not talked with any of the actual eye-
12 witnesses or people who were at the accident scene?

13 A No.

14 Q Did you review the official accident investiga-
15 tion in its entirety?

16 A I reviewed the collateral report. I reviewed
17 the collateral report in full detail.

18 Q Did you review the official accident report
19 called the Aircraft Accident Investigation Report?

20 A No. I did not.

21 Q Did you review any of the other factual data that
22 had been generated in connection with depositions or --
23 well, let's say depositions of people who were on the acci-
24 dent team or who were at the scene?

25 A I only reviewed the collateral report and the

1 depositions taken by individuals -- sworn statements,
2 rather.

3 Q Just sworn statements?

4 A Just sworn statements.

5 Q Not the depositions?

6 A Not the depositions.

7 Q You didn't read the depositions of the flight
8 crew or the cabin crew or anybody that was on the airplane?

9 A No.

10 Q You mentioned that you were a Diplomate. I may
11 be confused.

12 Did I understand you to say that you were a
13 Diplomate in preventive medicine with a subspecialty in
14 aerospace medicine?

15 A This, again, is a matter of semantics. The Board
16 prefers to call each of its subspecialties full specialties.
17 However, the Board commands the right to designate, based
18 on examination, which specialty you will be assigned to.

19 Q I see.

20 Is there just a straight specialty in aerospace
21 medicine with no subspecialty?

22 A According to the Board, we call it aerospace
23 medicine, whereas the first portion of the examination is
24 what we call a general examination and it is given to all
25 individuals in the three so-called "subspecialties" of

1 preventive medicine.

2 Q Now, in connection with the period of time you
3 were with the FAA, which I think you said was five years,
4 two years with the aeromedical branch in Oklahoma City, and
5 three years in Washington -- is that correct?

6 A Yes.

7 Q Did you personally become involved in any actual
8 accident investigations during that period where you were
9 on the scene or a member of the accident investigation
10 board or the National Transportation Safety Board?

11 A No. I did not.

12 Q Your work was in reviewing documentary evidence
13 and discussions of findings and putting things like that
14 together for rendering conclusions rather than the actual
15 investigation itself; is that correct?

16 A That is correct.

17 On the other hand, I had an opportunity on many
18 occasions to attend autopsies, to review with the accident
19 investigators items that had been removed from the wreckage.

20 We were primarily involved in general aviation
21 accidents in our program at FAA CAMI, in general aviation
22 accidents, and consequently we had a great deal of materials
23 accumulated from small aircraft that we looked at.

24 Q Now, when you say "general aviation", you are
25 talking about aircraft below a certain weight and size; are

1 you not?

2 A In general, yes. But it is usually designated
3 as to whether it is a commercial or non-commercial category,
4 particularly related to air carrier. It's really the non-
5 air carrier operations.

6 Q For the most part these would be smaller air-
7 craft, many of them unpressurized; is that correct?

8 A That is correct, except for the fact that we
9 are involved, as members of the human factors team, on all
10 air carrier accidents.

11 During my tenure, of course, we had the Tenerife
12 accident, we had the Eastern Airlines accident at Kennedy,
13 and the Hope, Georgia accident, and several others in
14 which we were participating.

15 But, of course, those are National Transportation
16 Safety Board's responsibilities. So, we only played a
17 peripheral role.

18 Q When you say "a peripheral role", that is only
19 a review role; is that correct?

20 A A review role, supplementary fact finding. And
21 the member of the human factors team, as of the past few
22 years, has been a person from the FAA CAMI.

23 Q Now, I want to understand this clearly.

24 Am I correct that your work while you were in
25 aviation CAMI was in the general aviation field for the

1 most part, rather than in the field of large aircraft, such
2 as the C-5A or Boeing 747?

3 A No. I would disagree. We covered the whole
4 spectrum of aviation and added to that, of course, a great
5 deal of flight control, air traffic controller research.

6 Q That would be on the ground?

7 A Yes. It falls within the general spectrum of
8 aviation medicine.

9 Q Now, how much of your time would you say was on
10 general aviation smaller aircraft and how much on larger
11 aircraft?

12 A It would depend on whether or not we are talking
13 about my administrative functions as Chief of the Research
14 Branch or whether or not it involved my direct research,
15 particularly in the hypoxia area.

16 My research took, at least at CAMI, I would say
17 40 percent of my time during those two years. We were
18 doing, for several months, daily decompressions, simulating
19 the decompression that occurred on that DC-10.

20 I was very much involved in the research planning
21 for our burn test program. That is, the assessment of
22 the hazardous properties of aircraft interior materials
23 when burned. And FAA CAMI discovered a large percentage of
24 off-gas materials in a fire was cyanide and some materials,
25 carbon monoxide and others, nitrogen flouride and others,

1 have toxic materials. That would apply to both general
2 aviation and air carrier aviation.

3 We were involved in an assessment of pilot per-
4 formance and task loading. That would apply to all types
5 of aviation and even train operations.

6 So, it is very general. It depends on how you
7 apply it.

8 Q Now, in your present position, you told us 60
9 percent of your time was on occupational programs and 40
10 percent on aviation programs.

11 Of the aviation, what portion of that 40 percent
12 is writing or research, as opposed to actually performing
13 testing or computation work?

14 A I was brought to the Cleveland Clinic to develop
15 a totally new department. So, it has been primarily
16 administrative in the occupational area: Selection of
17 personnel, interviewing, and so on.

18 My work in aviation has been primarily con-
19 fined to the age 60 issue, which has been appearing pri-
20 marily as a professional witness in age 60 hearings and
21 court cases.

22 I am also involved in a hearing on a bona fide
23 occupational qualification for employment with the Canadian
24 Government or rather Air Canada versus the Canadian Govern-
25 ment.

1 I have been doing some teaching, which encom-
2 passes the area of accident investigation, cause of acci-
3 dents, and so on.

4 I have been actively participating in the
5 aviation medical examiner program. In fact, I just spoke
6 two weeks ago in Boston and gave two lectures on accident
7 investigation to aviation medical examiners.

8 So, it is a very general type of environment
9 now.

10 Q Did I understand you to say you are primarily in
11 the aviation field involved in this age 60 question? That
12 takes up most of your time?

13 A I have also been seeing a number of pilots in
14 consultation with medical problems.

15 Q And that involves, does it not, whether or not
16 a pilot can continue to fly as captain of an airline past
17 age 60 or not?

18 A Yes.

19 Q And whatever physiological or connected matters
20 might be involved in that; is that correct?

21 A Yes.

22 Q But that does not specifically involve any con-
23 sideration overall of the accident environment? This is
24 the physiological question based upon time and its affect
25 upon the body; is that correct?

1 A Yes.

2 Q You mentioned you were at Continental Airlines?

3 A Yes.

4 Q That was 1968 to 1971?

5 A Yes.

6 Q Was there any particular reason why you left
7 Continental Airlines?

8 A The reason was a disagreement with the President,
9 Mr. Robert F. Six, on returning employees to full duty.

10 Mr. Six and Audrey Meadow Six of the movie star
11 fame found an employee who had been returned to duty with
12 a cast on his foot, a mechanic, out sorting some papers
13 and doing some filing. And it had been my policy, since
14 joining Continental to try to get everybody back to work,
15 if at all possible, because pay was just not adequate.

16 Mr. Six took difference with my decision and
17 it was basically an issue of whether or not I would be
18 allowed to return people on limited duty.

19 At that time American Airlines had gone some
20 1300 days without a lost time accident and that day that
21 the man was off, just in our one base, we had 24 people
22 off.

23 So, I also had other opportunities in the con-
24 sulting field.

25 Q When you mentioned a disagreement with Mr. Six,

1 that wasn't only a disagreement on this one job, there had
2 been others, had there not, on that policy of returning
3 people to work when they had been injured?

4 A Yes.

5 This continued a matter of a few weeks there-
6 after.

7 Q And, in effect, you were let go from the job of
8 medical director?

9 A No. I was not.

10 Q You said there was a disagreement with Mr. Six.

11 A I could stay on with the airlines if I wished
12 to agree with Mr. Six.

13 In fact, the departure was a quite friendly
14 departure.

15 I might say that one of the honors that I missed
16 was being appointed an honorary member of the Golden
17 Eagles, which is the retirement organization, the retired
18 pilots' organization, and Mr. Six and I and three others
19 are the only members of that organization as honorary
20 members.

21 Q You mentioned you had other opportunities when
22 you left Continental.

23 Is that the period when you were doing private
24 consulting services?

25 A Yes.

1 Q And you did that for a period of approximately
2 four years until you went to the Government in Canada?

3 A For two years.

4 Q Two years.

5 And were these consultations just private con-
6 sultations to whoever was in need of an aviation consultant?

7 A Yes.

8 Q And these were the opportunities you were talking
9 about when you left Continental?

10 A Yes.

11 MR. DUBUC: All right, Your Honor.

12 THE COURT: Dr. Busby is qualified.

13 MR. LEWIS: Thank you, Your Honor.

14 THE COURT: We will take our recess at this
15 time.

16 [Jury leaves.]

17 [Recess.]

1 MR. DUBUC: Your Honor, I asked before the jury
2 was brought in the last time that you ask them whether or
3 not they read that article in The Post.

4 THE COURT: Oh, I forgot about that. I will do
5 that now.

6 MR. DUBUC: And particularly the one this
7 morning.

8 THE COURT: Yes.

9 MR. LEWIS: I am not familiar with it.

10 THE COURT: It's an article on labotomies.

11 MR. DUBUC: This morning's article told about a
12 labotomy some 10, 15 or 20 years ago on a hyperactive child.

13 THE COURT: Why don't you take a seat over there,
14 Doctor, until we get that done.

15 Bring back the jury.

16 (The jury enters the courtroom.)

17 THE COURT: Ladies and gentlemen, I meant to ask
18 you this morning when we first convened about, first of all,
19 whether any of you read the morning paper, this morning's
20 Washington Post? Has anybody read the first page of The
21 Washington Post this morning? Indicate by raising your
22 right hand.

23 None did. Very well.

24 Have you read The Post in the last week, the
25 morning paper?

1 JUROR: I glanced at it.

2 THE COURT: If you glanced at it, that's no
3 problem.

4 You all come up, one at a time.

5 (Whereupon, the following took place at the bench
6 out of hearing of the other jurors:)

7 THE COURT: Mr. Lake, did you see any article
8 in there about brain surgery?

9 MR. LAKE: No.

10 THE COURT: At anytime since you have been sitting
11 in this trial?

12 MR. LAKE: No.

13 THE COURT: You may return to your chair.

14 (Mr. Lake returned to the jury box.)

15 THE COURT: There was one other gentleman. That
16 was Number 2.

17 Good morning.

18 MR. JACKSON: Just the sports page.

19 THE COURT: You haven't read the first page?

20 MR. JACKSON: No..

21 Could I ask you about Thursday evening at
22 4:00. I have an appointment.

23 THE COURT: This Thursday evening.

24 THE WITNESS: I have an appointment at 4:30.

25 THE COURT: Is it something that could be

1 postponed if you had to?

2 MR. JACKSON: I have postponed it twice.

3 THE COURT: Well, we can arrange for you to be
4 out of here. How long will you need before 4:30?

5 MR. JACKSON: Four o'clock.

6 THE COURT: If we're still in session, I will
7 remember or you remind me to adjourn at 4:00.

8 MR. JACKSON: Thank you.

9 (Whereupon, the following took place in open
10 court:)

11 THE COURT: Thank you, ladies and gentlemen.
12 Whereupon,

13 DR. DOUGLAS EARL BUSBY--res.
14 resumed the stand and, having been previously duly sworn,
15 was examined and testified as follows:

16 DIRECT EXAMINATION--res.

17 BY MR. LEWIS:

18 Q Dr. Busby, in addition to the things Mr. Dubuc
19 asked you about your review, what other things did you
20 review in your study for your testimony in this case?

21 A I reviewed the depositions of Col. Rayman of the
22 Air Force and Dr. Harold Gibbons.

23 Q Did you have an opportunity to attend the
24 scientific conference put on by the guardians of the
25 children?

1 THE COURT: Just a moment. The objection is
2 overruled.

3 You will disregard counsel's characterization of
4 the meeting as a scientific conference. It was a
5 conference.

6 BY MR. LEWIS:

7 Q Did you attend a conference in which Dr. Copeland
8 presented his findings and Dr. Brook also presented his
9 findings?

10 A Yes, I did.

11 Q Let me show you what has been identified as
12 Plaintiffs' Exhibit 55-D-1 through 55-K, and ask you
13 were those slides -- can you tell us whether or not the
14 information relevant to those slides was presented at the
15 conference?

16 MR. DUBUC: Objection.

17 THE COURT: Overruled.

18 THE WITNESS: I observed the slides. I recall
19 particularly the first page which summarized some skills
20 severity -- some problems.

21 BY MR. LEWIS:

22 Q Thank you. Did you have an opportunity to
23 consult with, among others, Dr. Brook about his findings?

24 A Dr. Brook and I had a very--

25 THE COURT: The answer to that is yes, Doctor.

1 THE WITNESS: Yes.

2 BY MR. LEWIS:

3 Q Did you have an opportunity to confer with any
4 of the other physicians there about their findings?

5 A Yes.

6 Q Both in connection with what they presented
7 at the meeting and you talked to them about?

8 A Yes.

9 Q Now, can you tell us, did you review any medical
10 records?

11 A I have reviewed some general medical records,
12 I believe submitted by Dr. Brook, Dr. Malone, Dr. Schuelein.
13 I believe they were preliminary to final reports. This is
14 the way I read them, as letters. I have reviewed some
15 materials related specifically to this case.

16 Q What is that?

17 A Some medical reports.

18 Q Of Michael Schneider?

19 A Of Michael Schneider.

20 Q Did you have an opportunity to review the
21 photographs of the crash scene itself?

22 A Yes, I did.

23 Q Now, Doctor, I would like you to tell us, to begin
24 with, what is the scope of aviation medicine? Does it, as
25 it is organized, does the discipline include children per se?

1 A Not to very much extent. I believe last year
2 I attended a meeting out in California which represented
3 one of the first scientific or professional studies of the
4 implications or the hazards associated with transporting
5 sick infants by air. This was sponsored by the Johnson
6 Corporation and is yet to be published. I was one of the
7 presenters there. And really we discussed in great detail
8 the lack of medical information in particularly the aviation
9 context related to infants and small children.

10 Q Are there any published studies, to your know-
11 ledge, of infants' tolerance to decompression or hypoxia?

12 A Not to my knowledge.

13 Q Now, I would like you to assume some facts that
14 I am going to read to you. Before I do that, what is
15 your task -- what do you understand that your task was in
16 this investigation?

17 A My task is primarily to reconstruct the events
18 from the moment of the explosive decompression to the
19 evacuation of the infants and children and adults from the
20 scene in the context of what I knew and what I had available
21 to me in terms of expertise in aviation medicine.

22 Q Sir, I am going to ask you--

23 MR. DUBUC: Objection, Your Honor. May we
24 approach the bench?

25 THE COURT: Yes.

1 (Whereupon, the following took place at the bench out
2 of hearing of the jury:)

3 THE COURT: Mr. Dubuc?

4 MR. DUBUC: Your Honor, in the proffered
5 testimony this morning and also in the proffered testimony
6 in the pretrial brief, there was nothing that indicated
7 that Dr. Busby was going to reconstruct the accident events.
8 He was going to talk about the correlation of environment
9 as to the point of relationships of decompression and
10 so on in surviving children. He is going to testify as to
11 the long term effects of children and he is going to
12 testify in the area of decompression, hypoxia and
13 deceleration generally. There was no indication that he
14 was going to reconstruct the accident as such.

15 To that effect, if counsel is proffering that
16 I want to know if Dr. Busby has an engineering background.

17 MR. LEWIS: Your Honor, he is not going to do
18 engineering. He is going to talk about hypoxia,
19 decompression and that sort of thing.

20 THE COURT: He is not going to get into the
21 business of where the parts landed? How fast they were
22 going.

23 MR. LEWIS: No. I am going to ask him to assume
24 a long hypothetical question.

25 THE COURT: The objection is overruled.

(Whereupon, the following took place in open court:)

BY MR. LEWIS:

Q Doctor, in your work in this case, have you consulted with any other persons in addition to the persons that you have mentioned who are members of any team that you are connected with?

A In inviting us to the conference, the guardians for the children invited Dr. -- Professor Mason of the University of Edinburgh, and Dr. Jerry Snyder, who I regard as two of the top people in my field, and who I have conferred with since the conference and who I have also corresponded with.

o I see.

A And we are involved in approaching this accident from a scientific rationalizing factfinding, retrospective, so to speak, reconstruction. Consequently, we are putting our collective abilities and experience together.

THE COURT: Just a moment.

MR. DUBUC: May we approach the bench?

THE COURT: Yes. Hold it just a minute. Doctor,
would you step down, please?

(Witness steps down)

1 (Whereupon, the following took place at the bench
2 out of hearing of the jury:)

3 MR. DUBUC: Your Honor, I specifically asked
4 Dr. Busby on voir dire whether there had been any reports
5 of this. Apparently, he hasn't written a report. He
6 mentioned correspondence with Dr. Snyder and Dr. Mason.
7 If that is so, if he is relying on their opinions and
8 consultations, I request that we be provided a copy of that
9 correspondence before we have to cross-examine.

10 MR. PATRICK: Your Honor, first of all, we
11 haven't seen any correspondence and I am not familiar with
12 any correspondence. But Your Honor will recall Dr. Gibbons
13 who was proffered as their expert and he testified at
14 great length about having talked to or consulted with
15 everybody.

16 THE COURT: He is not objecting to the
17 consultation. All he is saying is he's making a documents
18 request. And at the recess you ask the doctor if there
19 is anything. We will adjourn as soon as he is off the
20 stand, as soon as you finish your direct. And we will
21 excuse the jury and you can ask him then out of the
22 presence of the jury whether he has any documents, and, if
23 he does, Mr. Dubuc is entitled to them before he has to
24 cross-examine.

25 MR. DUBUC: And if he hasn't got them here with

1 him, we're going to have his whole testimony in before
2 I get the documents. It puts us in a difficult position.

3 THE COURT: Why don't we bring the doctor up
4 here.

5 MR. DUBUC: I would like to know now.

6 MR. PATRICK: If I may say so, Your Honor, we had
7 no availability of any of the correspondence.

8 THE COURT: I am not saying that I will acquiesce
9 in his demand, but if they're available, you'll be better
10 off with them and they will be better off.

11 MR. PATRICK: If they're available, certainly.
12 All I am saying is that we were not afforded an opportunity
13 to cross-examine the persons Dr. Gibbons consulted.

14 MR. LEWIS: Or correspondence.

15 THE COURT: If there are letters within easy
16 reach, it will be helpful.

17 MR. PATRICK: Do you wish the doctor?

18 THE COURT: Yes.

19 (The witness approached the bench.)

20 THE COURT: Speak up, Doctor. Speak to my
21 reporter here, just so she can hear.

22 MR. LEWIS: Doctor, let me ask you, do you have
23 any of the letters with you, either that you wrote or
24 they wrote, the two people you mentioned and I believe a
25 third person, I believe Cromack? Do you have any of

1 those with you?

2 THE WITNESS: I only have the letter to Dr. Mason
3 and I may have it with me.

4 THE COURT: When we take a pause, we would like
5 you to look and see if you have them.

6 THE WITNESS: I will see if I have it.

7 (Whereupon, the following took place in open
8 court:)

9 THE COURT: Go ahead, Mr. Lewis.

10 MR. LEWIS: Thank you, Your Honor.

11 BY MR. LEWIS:

12 Q Now, what is Dr. Mason's specialty?

13 A He is a forensic pathologist, with orientation,
14 primarily, to aviation medicine.

15 Q And who is Dr. Snyder?

16 A Dr. Snyder is chairman or I should say Director
17 of the Human Impact Tolerance Laboratory at the University
18 of Michigan.

19 Q And did you consult with a Mr. Cromack?

20 A I really had no direct conversations with him
21 during the conference, sir.

22 Q Or since?

23 A Or since.

24 Q Now, Doctor, I am going to ask you to assume
25 some facts, if you will, that I am going to ask you if you
have an opinion, and I want it to be based upon the facts

1 that I am going to ask you to assume.

2 Please assume, sir, that Michael Schneider was
3 brought to a nursery in Vietnam, called To Am, which was
4 a facility run by a group called Friends for All Children,
5 who were operating in Vietnam, that the time was about two
6 days before he was scheduled to leave Vietnam on the
7 airplane that ultimately ended up, the C-5-A, which is the
8 one that crashed.

9 Assume also, if you will, that at the time that
10 he was brought to the To Am nursery, he was examined by
11 a registered nurse by the name of Sister Rayneld, who had
12 had experience in examining Vietnamese children; and that
13 she was competent and that at that time, from her
14 examination, it was her opinion that from his appearance
15 and from her examination that he was around one year of age.
16 And that on the basis of her examination that she found that
17 he could sit up firmly with his back straight; that he
18 could be given a toy, and if she took it away from him he
19 would ask for it back -- he would not ask for it, but he
20 would try to reach for it back; that he was alert; that
21 he appeared well-nourished to her; his weight and his
22 height being proportionate; assume also that he was able
23 to crawl. Assume, if you will, that this same child had
24 an umbilical hernia; that he had pus in the right ear; and
25 that his condition was -- Did you have an opportunity to

1 see Sister Rayneld's report of her examination?

2 A I cannot recall, sir.

3 Q Assume also that on the basis of her examination,
4 she found the child to be in good health and that, except
5 for the umbilical hernia and pus in the right ear, that
6 he appeared normal and healthy to her; that the
7 instructions that she had from her superiors was to select
8 only the best children and that he, in fact, had met that
9 criteria.

10 Assume also that the child was in a facility
11 which was run by a Father Olivier for the time -- from the
12 time he was born, which is not precise now, until this
13 date in April, which was two days before he actually left
14 from Vietnam.

15 Assume also, if you will, that this same child,
16 Michael Schneider, was placed on a C-5-A aircraft, and
17 that he was placed with other babies--

18 MR. LEWIS: Mr. Flicker, would you get that,
19 please (pointing)?

20 Would you display that?

21 MR. FLICKER: This is Exhibit 2-T as in Thomas.

22 BY MR. LEWIS:

23 Q That he was placed in a seat much like this,
24 which is a seat in a military craft which was rearward-
25 facing; and that he was fastened with a seatbelt to the

1 seat, and that the pillows were placed more or less in
2 that fashion; that those seats were located in the troop
3 compartment of the C-5-A, which was in a position in the
4 upward portion of the airplane.

5 MR. LEWIS: May I display -- Mr. Flicker, would
6 you get the diagram of the airplane? Would you bring it
7 over here, Mr. Flicker and put it where the jury, the witness
8 and all can see it. What is the number of the exhibit?

9 MR. FLICKER: Twenty-two.

10 BY MR. LEWIS:

11 Q And assume also that Exhibit 22 is a cut-away
12 version of the C-5-A, and I will point out the portion of
13 the troop compartment.

14 MR. LEWIS: Thank you, Mr. Flicker.

15 BY MR. LEWIS:

16 Q That the troop compartment was in that location
17 that I indicated on that exhibit.

18 That this aircraft took off from Saigon on
19 the 4th of April, 1975; that it reached an ultimate
20 altitude within less than 15 minutes, 23,400 feet
21 approximately; and that when the plane reached that
22 altitude that there was an explosive decompression, and that
23 the speed of the decompression was in the range of a third
24 of a second; that the altitudes -- have you had an
25 opportunity to see the altitude tables?

0. INC.
0. N.E.
02 A Yes, I have.

1 Q And assume that the altitude table was as you
2 have seen it; that it descended, as you have seen, during
3 those times; that at the time of decompression, a large
4 door, which was on the stern of the aircraft, a pressure
5 door blew off and that the door was wide enough so that
6 two tractortrailers could pass, side by side, through it;
7 that was the rough dimensions of the opening; that--

8 MR. DUBUC: Objection, Your Honor. There was
9 no testimony to that.

10 MR. LEWIS: There was precise testimony.

11 THE COURT: Overruled.

12 BY MR. LEWIS:

13 Q That the air in the airplane was pressurized at
14 or about an altitude of 5,000 feet; that the air
15 temperature outside of the airplane was, prior to and
16 during the time of the sudden decompression, a minus 24
17 degrees below zero -- Fahrenheit; that the pressure
18 differentials between the inside and outside of the airplane
19 before the explosive decompression was 6.4 to 6.5 pounds
20 per square inch; that there was a common stairway and a
21 grating between the troop compartment and the cargo
22 compartment, which is a large space, so that air can pass
23 freely between the two ; and that there was very little
24 oxygen available for the children in the troop compartment;
25 that some of the children received intermittent oxygen

1 and we don't know precisely whether Michael Schneider was
2 among them; but there was only one oxygen mask per seat
3 and there were two children per seat, and the medical
4 personnel up there attempted to share the oxygen from the
5 masks between the number of children for whom they had
6 responsibility, which might vary from 10 to 20 children
7 per person -- per adult. That during the explosive
8 decompression, a young woman, aged 14, who was located in
9 a lower cargo compartment, which was in the area below the
10 troop compartment and forward to that, became unconscious
11 in an indeterminate period, but a few minutes, more or less,
12 after the explosive decompression and remained unconscious
13 until after the accident; that she woke up in a muddy puddle
14 following the accident, apparently, having been thrown out
15 of the airplane during the crash; that she had a bump on
16 her -- some sort of a scar on her face as a result of the
17 accident and some fractures; that she reports that her
18 memory is not nearly as good after the accident as it was
19 before; and that she has trouble remembering things
20 even on a short-term basis at school now; that a licensed
21 practical nurse who was in the vicinity of the door of the
22 troop compartment observed at the time of the decompression
23 that the babies were semi-conscious or unconscious; that
24 they looked placid and that they appeared unconscious
25 to her; they were pale. She noted that one of them was

1 turning blue and she took that child and gave it oxygen;
2 that all of the oxygen masks that were built into the
3 airplane did not disperse and that some of them when you
4 pulled them down disintegrated in your hand.

5 I want you also to assume that Michael Schneider
6 was somewhat forward of this practical nurse in the troop
7 compartment; but his precise location we do not know.

8 That the airplane maneuvered in an attempt to
9 regain control, and it would have been a swooping motion
10 with altitude changes as you have seen in the altitude
11 tables.

12 MR. DUBUC: Excuse me, Your Honor. If he can
13 identify the one he is testifying to.

14 THE COURT: All right.

15 BY MR. LEWIS:

16 Q Have you seen the one derived from the MADAR
17 tapes?

18 A Yes.

19 THE COURT: That's what you are referring to?

20 MR. LEWIS: Yes, Your Honor.

21 THE COURT: All right.

22 BY MR. LEWIS:

23 Q I want you also to assume, if you will, sir, that
24 the airplane first struck the ground in a field short of the
25 runway; that parts of the landing gear broke off of the

1 airplane at that time; that the kinetic energy at that
2 time -- of the airplane striking the ground -- was
3 in the order of 1 billion 500 million foot pounds; the
4 airplane came into the air and passed across the Saigon
5 River, striking the ground again; that the airplane --

6 MR. LEWIS: What is the exhibit here?

7 MR. FLICKER: Twenty-seven.

8 BY MR. LEWIS:

9 Q Exhibit 27, disintegrated in this fashion at the
10 time of the second impact, with this area being the
11 troop compartment, and that the troop compartment was
12 propelled through the air--

13 MR. LEWIS: Thank you, Mr. Flicker.

14 BY MR. LEWIS:

15 Q --for a distance of some 400 yards and then
16 it struck the ground and slid another 150 yards or so;
17 that the ground the airplane struck the first time was
18 ordinary dirt, seemingly normally hard and dry; but the second
19 time the airplane struck ground it was a boggy or marshy
20 area, with water standing in some places, and apparently
21 a rice field that was either partly still wet or partly
22 drained; in other words, it was damp and muddy.

23 I want you also to assume that the kinetic
24 energy of the second impact was a million five hundred
25 thousand -- excuse me -- a billion five hundred thousand

1 pounds; the airplane struck a dike; the landing gear
2 fractured and, as I say, the pieces were dispersed over
3 the ground. Have you seen the area of dispersal in the
4 accident report?

5 A Yes.

6 Q I want you to assume the facts that you have seen
7 in the collateral report to be accurate.

8 Also, I would like you to assume the accuracy
9 of Dr. Copeland's findings and Dr. Brook's findings.

10 Now, assuming those things, sir--

11 MR. DUBUC: Your Honor, I object. I would like
12 to hear what he is referring to.

13 THE COURT: Okay, will you be a little more
14 specific about what you mean, what portions of Dr. Brook's
15 and Dr. Copeland's findings you are asking him to rely on?

16 MR. LEWIS: Yes.

17 BY MR. LEWIS:

18 Q I will ask you to assume that the child now
19 has a condition known as MBD; that he has psychomotor or
20 traumatic epilepsy.

21 I would like you to assume also the exhibits
22 55-D-1, E-1, F, G-1, 55-H-1, and the second page of H-1,
23 55-J-1, 55-K-1, 55-L-1 and 55-M-1, which are Dr. Brook's
24 slides; I also ask you to assume 56-A-1 -- these are
25 Dr. Copeland's -- 56-B, 56-C, 56-D, 56-E, 56-F, 56-G,

1 56-H and 56-I, which are Dr. Copeland's slides. I want
2 you to assume the accuracy of the data on these reports
3 of Dr. Brook and Dr. Copeland.

4 Now, assuming the data that you have reviewed
5 is accurate, do you have an opinion, Doctor, to a reasonable
6 medical certainty whether the condition of Michael Schneider
7 is compatible with the environment that he was subjected
8 to in the C-5-A decompression and accident?

9 MR. DUBUC: Note my objection.

10 THE COURT: It's overruled.

11 BY MR. LEWIS:

12 Q Do you have an opinion?

13 A I do have an opinion. And I assume that Michael
14 Schneider has not been involved in that period of time in
15 any other extremely traumatic event.

16 Q Assume that he has not.

17 A The series of events that occurred in this accident
18 sir, that render one dismayed with the fact the child
19 survived at all. In the combination of events that
20 occurred with decompression, the tremendous trauma that
21 was sustained, followed by the evacuation and, in fact, the
22 subsequent evacuation out of Vietnam, and I would assume
23 that the children were adequately looked after subsequently,
24 and I feel that it is entirely compatible with a severe
25 brain insult, as we would say in medicine.

1 Q You mean his injury is compatible?

2 A Yes, it is.

3 Q Would you take the blackboard and would you
4 explain to the Court and the jury the mechanics of
5 injury, starting with explosive decompression?

6 MR. LEWIS: Mr. Flicker, would you help with
7 this?

8 May I approach this?

9 THE COURT: Certainly. If you could arrange it
10 so that the reporter can stay where she is; if you can
11 display that thing on this side.

12 MR. LEWIS: Yes, sir.

13 MR. DUBUC: Not my objection and I move to
14 strike the relatively compatible opinion.

15 BY MR. LEWIS:

16 Q Do you have an opinion, Doctor, with a reasonable
17 medical certainty, as to the cause of Michael Schneider's
18 current medical condition, that is to say, MBD and epilepsy?

19 THE COURT: Just a moment, there's an objection.

20 MR. DUBUC: I have another objection. The
21 exhibit he referred to referring to, referring to the
22 injury, I cannot find any specific reference to Michael
23 Schneider in either of them; only the number of children.

24 THE COURT: All right. The objection is over-
25 ruled.

1 BY MR. LEWIS:

2 Q Is your opinion with reasonable medical certainty,
3 Doctor?

4 A A reasonable medical certainty.

5 THE COURT: And what is the opinion?

6 MR. LEWIS: Your Honor--

7 THE COURT: Let him tell me.

8 BY MR. LEWIS:

9 Q What is your opinion, Doctor?

10 A My opinion, sir, is that Michael Schneider
11 sustained injuries, specifically, a severe brain insult
12 that are compatible with the trauma that occurred in this
13 accident.

14 THE COURT: An objection is noted, and over-
15 ruled.

16 MR. DUBUC: Same objection, Your Honor.

17 THE COURT: Same ruling.

18 Just a moment, Doctor, until Mr. Dubuc can get
19 to where he can watch.

20 MR. LEWIS: May I move that back a little?

21 THE COURT: Yes, indeed.

22 And, Doctor, the reporter is very important
23 and your audience is over there.

1 BY MR. LEWIS:

2 Q Would you explain your opinion, Doctor?

3 A With the Judge's permission, I am going to go
4 from the moment of the decompression through some events
5 that would have occurred on the aircraft to describe for
6 the jury what we would reconstruct as aviation medical
7 specialists approaching any type of incident or accident
8 like this.

9 I am going to start off by telling you that the
10 atmosphere that we breathe has both the qualities of gases
11 in it, and the three gases that we are concerned about
12 here are oxygen, nitrogen and carbondioxide. And their
13 symbols are O_2 , N_2 , and CO_2 .

14 Now, the amount of oxygen in the atmosphere
15 is about the same to space, that is, about 21 percent.
16 The amount of nitrogen is approximately 78 percent. And the
17 rest of the atmosphere is made up of carbondioxide and
18 what we call inert gases to about one percent.

19 Now, all of these gases in the atmosphere
20 through their molecular vibrations and impacts produce
21 a pressure, and the pressure, due to the greater number
22 of molecules close to the ground is greater close to the
23 ground, so, as we ascend in the atmosphere, go up in an
24 airplane, or even in an elevator, the pressure drops.
25 So, to review, the concentration of oxygen doesn't change

1 but the pressure changes with altitude.

2 Now, the way we in medicine, and particularly in
3 aviation medicine, like to refer to pressure, and you may
4 have heard pressure referred to as pounds per square inch
5 or pounds per square foot. We like to refer to pressure in
6 terms of the ability of the atmosphere to hold down what we
7 call a column of mercury, and the term for this is
8 millimeters of mercury, and the symbol for mercury is Hg.

9 Now, with that information, at 5,000 feet of
10 cabin altitude, which is approximately 632 millimeters of
11 mercury pressure, this cabin on the aircraft, decompressed
12 from what records we have, to 303 millimeters of mercury
13 in approximately .3 seconds. And, in fact, going back
14 over the records, I reconstructed separately the
15 decompression time and the decompression time from the
16 records available to me, using mathematical calculations,
17 are correct. In fact, two-thirds of the pressure change
18 occurred in this case within .2 seconds. So the decompression
19 was very rapid indeed.

20 Now, we would like to refer to the decompression
21 as either being rapid or slow and, occasionally, people
22 use the term "explosive decompression." Explosive
23 decompression refers to a decompression in under one second.

24 Now, with the decompression, there is gas -- there
25 is considerable cooling that occurs; there is a noise,

1 described by some as a loud boom or bang, or a whoof or
2 a puff. In this case, particularly at .3 seconds, the
3 decompression would have been a large bang, a very noisy
4 one, and very similar to hearing a large cannon cracker
5 close up.

6 Now, what happens when the pressure drops, as far
7 as our concern, as far as the body is concerned?

8 We are concerned about gases expanding. And in
9 all of our bodies, we have dissolved nitrogen, and we are
10 concerned about the possibility that the drop in pressure
11 allowed dissolved nitrogen to come out. So we talk about
12 gas out of solution, dissolved in our bodies. And, since
13 we need oxygen to live, and it is the pressure that drives
14 oxygen into our bodies, we are concerned about the
15 effect -- the effect of oxygen falling as we go up, the
16 pressure of oxygen falls.

17 Now, let's start first with the gases expanding.
18 With the fall in pressure, as I said, gas expands. And, in
19 fact, in going from 632 to 303 millimeters of mercury,
20 the fallen pressure, the expansion of the gas would have
21 been over two times greater. So, if we had taken a
22 balloon in that aircraft and had dropped the pressure,
23 the balloon would be over twice as large.

24 Now, our concern would be what happens if we
25 have got gas or air trapped in our bodies, and there are

1 basically three areas that we will be concerned about.
2 The first is behind the eardrum, in the middle ear cavity.
3 Fortunately, we are able to allow trapped gas or gas in our
4 middle ear to get out easily when we go up. It is like a
5 one-way flutter valve. The gas expanding easily gets out
6 down into the back of the throat, called the eustachian
7 tube.

8 Now, our problem is with descent. And you may
9 recall that people have problems. For example, I have a
10 cold and I am concerned in travelling by air tonight that
11 I am not going to be able to clear my ears when I go down.
12 And, particularly in infants who are sleeping or unconscious
13 it's possible and entirely probable that the infant will
14 not clear his ears or her ears, and the pressure, the
15 low pressure inside of the ear during descent will cause
16 suction of the drum and can cause bleeding and even break
17 the eardrum.

18 Now, another concern that we have with gas is
19 gas that may be trapped in the lungs. If I hold my
20 breath, as one physician did many, many years ago, during
21 a decompression, as we call the drop in pressure, in
22 a chamber while demonstrating decompression, both lungs
23 expanded to the degree that his lungs broke, or as we call
24 it, disrupted, and he died.

25 Now, it requires a certain amount of pressure

1 change to do this. And what I did was, I calculated
2 whether or not the pressure change was so rapid that the
3 gas expanding could not get out rapidly enough, even with
4 the infant or adult with the mouth open in this case.
5 And I must say at this point that, based upon available
6 medical data, that the size of the airways of a growing
7 infant, the gas could get out of the lungs in this
8 decompression about 10 times faster than the decompression
9 occurred. So there was really no buildup inside the
10 lungs of pressure if the airways were open.

11 Now, let us say that an infant was swallowing at
12 the time, the airways are closed when you swallow. If the
13 infant was at a normal breathing position, just as you and
14 I are now, the pressure calculation would be across the
15 chest wall of about 67 millimeters, that is from inside
16 to outside, about 67 millimeters of mercury. This is using
17 a special formula to calculate this.

18 If the infant had taken a big breath, which I
19 very strongly doubt, at the moment of decompression;
20 now holding the breath (demonstrating), and holding it
21 tight during decompression -- the probability of this
22 happening must be very close to zero -- the pressure could
23 have built up to over 350--

24 MR. DUBUC: Your Honor, I object. We are dealing
25 in improbabilities.

1 THE COURT: Yes. Doctor--

2 THE WITNESS: Yes, sir.

3 THE COURT: Mr. Lewis if this is -- if he's just
4 talking about something--

5 MR. LEWIS: It is just to illustrate the point
6 that happens, Your Honor.

7 THE COURT: Well, ladies and gentlemen, you
8 understand the doctor telling you at the same time he is
9 describing it to you, he's saying it probably didn't happen.

10 THE WITNESS: As I said, the probability of it
11 happening would be very close to zero.

12 THE COURT: We're not interested--

13 THE WITNESS: You have to deal with them all
14 internally.

15 THE COURT: We're not interested in what didn't
16 happen, Doctor -- what is improbable.

17 THE WITNESS: We're talking about a child
18 drinking, let's say a bottle of milk at this point, and
19 taking a swallow, and the pressure of 67 millimeters of
20 mercury across the chest wall, so blowing up the lungs a
21 bit, is below the pressure required to produce damage in
22 the average individual. So, we are not too concerned
23 about expanding gas trapped in the lungs.

24 And I am only giving you this for the sake of
25 completion. In any lecture you attend--

1 MR. LEWIS: Excuse me.

2 MR. DUBUC: Your Honor--

3 THE COURT: The objection is sustained. We are
4 not taking a lecture, Doctor. We're getting testimony that
5 is germane to this case.

6 THE WITNESS: The third concern that we have is
7 for expanding gas in the abdomen. And we know from various
8 studies that this gas that might be trapped in the abdomen,
9 particularly in individuals who swallow a lot of gas or
10 who have been concerned while travelling, who have been
11 crying perhaps, trapped gas in the abdomen and the expanding
12 gas can produce a fall of blood pressure and a slowing of
13 heart rate.

14 Now, the second area is gas out of solution.
15 One has to be above a certain altitude for a certain period
16 of time to produce what we call a bubble situation, much
17 like the coke bottle opened with bubbles coming up, and
18 this accumulates in many, many tissues and causes problems,
19 called decompression sickness. And it is highly unlikely
20 that this could have occurred.

21 MR. LEWIS: Just tell us what did occur.

22 THE COURT: Doctor, I don't want to hear any more
23 about what didn't--

24 MR. DUBUC: Your Honor, as long as he is pointing
25 to something, I would like to hear the rest of that.

1 THE COURT: I don't care what you would like to
2 hear. I want to hear what is relevant, and this isn't.
3 You have already objected to something that is improbable.

4 THE WITNESS: It is a remote possibility, Your
5 Honor. It has been raised as a question in this case for
6 almost five years.

7 THE COURT: Let's go on to something else.

8 THE WITNESS: Okay.

9 The effects of oxygen involved are very clear
10 in this situation. And the fact that the infants, most of
11 whom were sleeping, and typically in infants we have a
12 degree of carbondioxide in the lungs that displaces some
13 of the oxygen available to us. That is, carbondioxide
14 builds up slightly in the lungs and allows less oxygen to
15 be there. And what will happen is--could I have another
16 sheet?

17 THE COURT: Certainly.

18 (Counsel complied.)

19 THE WITNESS: This being the altitude, 24,000
20 feet at the time of decompression, the pressure, barometric
21 pressure of 303 inside the cabin, the pressure that is
22 available in the blood to the tissues calculated as being
23 in the lung, calculates out, using a special formula
24 of only 8 millimeters of mercury, this assumes that the
25 infant has not had time to increase the rate and depth of

1 breathing at one minute, this is at zero time, and one
2 minute at 21,202 feet, the barometric pressure is reported
3 as 335 millimeters of mercury and, by that time, we would
4 have had a lung oxygen pressure of 14. At two minutes,
5 we were at 16,313 feet, at 407; and the pressure calculated
6 out at 29 millimeters of mercury. This again assumes
7 that we did not increase the amount of breathing, that the
8 infant was just breathing still in the same way he was
9 before the decompression.

10 At three minutes, or at 16,000, the aircraft got
11 back up again a bit, the pressure is at 404, and the
12 lung oxygen calculates out very much the same.

13 And, finally, at four minutes, and I will not
14 be going any further on the calculations, we are 459 at
15 40.

16 Now, the point that I wish to make for this
17 is the fact that if the lung oxygen is lower, significantly
18 below 40 millimeters of mercury, not only does
19 unconsciousness occur but also brain damage occurs after
20 a period of time of exposure.

21 This is a simple medical fact. These infants
22 were exposed to a very, very severe oxygen lack very
23 abruptly.

24 Now, you say we do have a number, in fact,
25 thousands every year of people being decompressed in

1 a decompression chamber at an altitude. They are awake;
2 they are individuals who have a chance to compensate by
3 increasing the rate and depth of breathing and, in many
4 cases, they are already being supplied oxygen when going to
5 altitude.

6 And I might say that I would like to bring in
7 some concerns here for the environment of the infant
8 at the moment the decompression occurred.

9 I am concerned for such things as the amount of
10 air in the abdomen pushing the diaphragms up and
11 decreasing the ability to breathe. I am concerned for
12 the infants being warm initially and, if you are warm,
13 you are hot, your temperature particularly is up a little
14 bit because you have been sitting in a confined space, let's
15 say on a hot aircraft, as we say, with fighter pilots,
16 your oxygen need is much greater. Then after the
17 decompression, we get chilling. The temperature undoubtedly
18 dropped significantly in the cabin. The outside temperature
19 on the average at that altitude around the world was
20 24 degrees below Fahrenheit on that date.

21 The third factor that I would be concerned
22 about would be the ability of infants to have difficulty
23 if they have anemia, which is a decrease in the ability
24 of the blood to carry oxygen.

25 Now, one final thing I should point up, and no one

1 has been able to give me a satisfactory answer to this,
2 if you blow out a side door travelling at high speed in an
3 aircraft, it is possible to raise the altitude of the
4 aircraft by several thousand feet by a phenomenon called
5 the Venturi effect. It is entirely possible that the
6 altitude--

7 MR. DUBUC: Objection, Your Honor.

8 THE COURT: There's an objection.

9 MR. DUBUC: It's on the basis of probability.

10 THE WITNESS: The probability is entirely
11 probable, sir, that the altitude was higher due to the
12 Venturi effect, based on the rear door being blown off.

13 BY MR. LEWIS:

14 Q Would you explain that?

15 A The flow of air and the height with the increase
16 in the rate of air flow over a body, such as a wing,
17 decreases the pressure in the air relative to the air
18 that is going straight by and not bending over the
19 body. And this is what makes the airplane fly.

20 So the pressure, where the air is going the
21 fastest, is actually lower than the pressure that is
22 just simply going straight by. That is the best
23 explanation that I can give.

24 Q Do you have an opinion as to whether there was
25 a Venturi effect in this case with a reasonable

1 scientific and medical--

2 MR. DUBUC: Objection, Your Honor. I would like
3 the grounds or what he looked at before we have an opinion
4 on it. I haven't heard anything yet, either in testimony
5 or in evidence.

6 THE COURT: Can you state the premise for your
7 opinion? If you can, go ahead and state it.

8 THE WITNESS: My opinion is that the altitude
9 was significantly higher, up to possibly--

10 BY MR. LEWIS:

11 Q What's probable?

12 A Up to probably 3 or 4,000 feet higher.

13 THE COURT: Why do you say that?

14 THE WITNESS: Based on a Saberliner door
15 decompression a few years ago, which was flying at 42,000
16 feet, the door went off and the cabin altimeter registered
17 49,000 feet.

18 MR. DUBUC: I object and move to strike.

19 THE COURT: It's overruled.

20 BY MR. LEWIS:

21 Q So are you saying that the actual altitudes
22 were more than the altimeter registered; is that what you're
23 saying?

24 A Yes, sir.

25 THE COURT: The actual -- well.

1 BY MR. LEWIS:

2 Q The effect of the altitude, the pressure.

3 A Yes.

4 THE COURT: Go ahead.

5 BY MR. LEWIS:

6 Q Go on, please.

7 A During this period of the descent, we would be
8 concerned with providing oxygen for the infants, children
9 and adults, and the drop-down system that is used in
10 aircrafts of this type is the same as that used in the
11 commercial airlines. It is very important, as every
12 stewardess or steward will tell you, that in order to get
13 adequate oxygen, you have to have an adequate fit of the
14 mask. And we found this in all of our experiments that
15 I conducted at the Civil Aeronautics Institute and in
16 other research that I have been involved in. To take a
17 mask from one person to another and expect--

18 MR. DUBUC: Your Honor, we are done at the board.
19 I think that instead of a lecture--

20 THE COURT: Yes.

21 BY MR. LEWIS:

22 Q Doctor, what is the effect--

23 THE COURT: Just a minute. Why can't he go back
24 to the witness stand?

25 MR. LEWIS: All right, sir.

1 (The witness resumes the stand.)

2 THE COURT: And would you slide that out of the
3 way so that I can see Mr. Dubuc? Thank you.

4 BY MR. LEWIS:

5 Q Doctor, what is the effect, in your opinion, of
6 the masks that were used in this case as to whether or not
7 they would be effective for babies one, two or three years
8 of age?

9 THE COURT: It's overruled.

10 THE WITNESS: The masks would have been
11 effective if they had been placed on the infant's face
12 and kept there, well-sealed. These masks are certificated
13 to over 40,000 feet.

14 BY MR. LEWIS:

15 Q What would be the effect of moving a mask from
16 infant to infant as far as the oxygenation of the children
17 under these circumstances?

18 A It would be minimally effective.

19 Q Now, would you go on and state your other
20 conclusions that you reached and the basis for your
21 opinion?

22 A The infants were then subjected to a number of
23 severe jolts as the aircraft impacted. And, in this area,
24 I am quite familiar with the effects of an aircraft
25 striking an object on an infant due to some research that

1 we conducted at the FAA CAMI -- C-A-M-I -- on infant
2 restraint systems. You may have noticed that an infant is
3 very top-heavy. The picture that I was shown--

4 Q Do you mean physiologically?

5 A The anatomy of an infant is that their head is
6 very large, and we are very concerned about the head
7 being thrown up in any type of accident, whether it be an
8 automobile or in an aircraft. And the FAA research in this
9 area has shown that unless an infant's head is adequately
10 restrained it can be thrown very markedly about.

11 Q Would that be different than an adult under the
12 same circumstances?

13 A Yes, it would be.

14 MR. DUBUC: Objection.

15 THE COURT: Overruled.

16 BY MR. LEWIS:

17 Q In what way?

18 A The first thing is the relative size of
19 the muscles in the upper torso and yet be able to hold the
20 head erect during a period of jolt, being thrown forward.
21 The other thing is that the infant is able to move and
22 bend its trunk much easier, is more resilient. If I might
23 say, we have a film in the FAA that we show as part of
24 our FAA Medical Examiner Program, that shows an infant
25 in an impact, well-restrained impact, three months old,

1 and another at six months old going right over the top of
2 the belt but yet remaining attached, and the head actually
3 goes down over top of the seat pad. That's how much
4 stretching occurs and then rebounds.

5 Admittedly, in this case, the infants were
6 rear-facing, but we are just as concerned in accidents with
7 what we call a secondary rebound effect.

8 Q In your opinion, Doctor, with reasonable medical
9 and scientific certainty, with the rearward-facing seats
10 under the circumstances of this accident, how would the
11 child's body behave and would there be a rebound effect
12 and, if so, what is that?

13 A There would definitely be a rebound, a very
14 severe one.

15 Q Would you describe that?

16 A The first impact probably wouldn't have been
17 but for the infant to be thrown back into the seat effect
18 with the first halt and project forward.

19 Q And how would his body be when he went
20 forward?

21 A In essence, jackknifed over and the head down
22 possibly even as far as over the pillows on the seat.
23 Now, this would have occurred with each subsequent impact.
24 And it has been recorded in accidents and observed in
25 experiments that there can be in just one stopping several

whippings, back and forth of the trunk in an infant.

Q In an infant?

A In an infant and in an adult.

Q Now, can you tell us, Doctor, whether you think the rearward-facing seats prevented injury in this case with a reasonable medical certainty?

A They undoubtedly did protect a great deal.

Q And did they protect the child from brain injury, the child being Michael Schneider?

THE COURT: It's overruled.

THE WITNESS: Brain injury related to the
hypoxia or the impact?

BY MR. LEWIS:

Q Both.

MR. DUBUC: Objection.

THE COURT: Overruled.

MR. LEWIS: Tell us about it.

THE WITNESS: Since the primary impact, that is if the children were facing forward, it is usually much more severe than the secondary whip or motion. The children would be more protected.

MR. DUBUC: He is talking about facing forward and the evidence indicates it was rearward.

BY MR. LEWIS:

Q Do you have an opinion, Doctor, with reasonable

1 medical certainty as to whether--

2 THE COURT: The earlier questions is withdrawn?

3 MR. LEWIS: Yes, I will withdraw it.

4 BY MR. LEWIS:

5 Q If you have an opinion with reasonable medical
6 certainty, whether Michael Schneider was injured as a
7 result of the explosive decompression and hypoxia?

8 A Correct.

9 THE COURT: You can answer that yes or no.

10 Do you have an opinion?

11 THE WITNESS: Yes.

12 BY MR. LEWIS:

13 Q What is your opinion?

14 A My opinion is that brain damage occurred to some
15 degree as a consequence of hypoxia.

16 Q And what about the impact or trauma?

17 A My opinion is that brain damage to some degree
18 occurred as a result of the trauma.

19 Q Now, Doctor, what is negative panic?

20 A Negative panic is a broad term to describe
21 events that occur psychologically after an extremely
22 traumatic and emotionally disturbing situation. Some
23 individuals react to a very great crisis by just doing
24 nothing.

25 It has been used as a term to also describe a
co. inc
venue, N.E.
0002
period of amnesia of events that occurred. In other

1 words, the individual doesn't recognize any longer they
2 have been through a crisis, an airplane crash. And they
3 just sit there waiting for the announcement that we have
4 pulled up to the gate. It is time to deplane. This
5 happened a few years ago in a crash where they found a man
6 still sitting on the airplane after the crash occurred
7 waiting to be deplaned.

8 THE COURT: We will recess at this time,
9 Mr. Lewis, to reconvene at 1:45.

10 (The jury leaves the courtroom.)

11 MR. DUBUC: Your Honor, may we ask that we be
12 provided with copies of whatever correspondence he
13 has with Dr. Snyder--

14 MR. LEWIS: I will ask about that.

15 THE COURT: And Dr. Mason.

16 It is so ordered.

17 (Whereupon, at 12:30 p.m. the hearing was
18 recessed to reconvene at 1:45 the same afternoon.)

1 THE COURT: Mr. Dubuc, I notice I have another
2 partial set of instructions.

3 MR. DUBUC: Those are the ones I handed in this
4 morning.

5 THE COURT: What was our understanding of the
6 filing of them?

7 I have another one from Mr. Patrick, too.

8 MR. DUBUC: I asked before, Your Honor, that we
9 be permitted to file requests to and including rebuttal
10 witnesses since we did not know what they were going to
11 be saying.

12 THE COURT: All right.

13 I don't have any problem about it, except I think
14 we have an implicit understanding that Mr. Patrick is
15 trying to get out of town and wants to be involved in this.

16 Do you presently anticipate any more proposed
17 instructions?

18 I don't mean to preclude you if something else
19 develops.

20 MR. DUBUC: Perhaps one or two, depending on
21 these witnesses.

22 THE COURT: But it all relates to what comes up
23 in the rebuttal?

24 MR. DUBUC: I think so.

25 THE COURT: Nothing you can think of in the case

1 in chief?

2 MR. DUBUC: Not that comes immediately to mind.

3 I would like to look into my files.

4 I can think of only one or two additional.

5 THE COURT: What about the plaintiffs? Do you
6 all anticipate any other instruction requests, Mr. Patrick?

7 MR. PATRICK: No, sir.

8 I have been trying to assemble some cases on
9 this question of medical certainty.

10 I might mention to the Court 66 ALR 2d. There is
11 a note at 1082, 1127 and a later case at page 277 under
12 Section 7-B that discusses a good number of the cases.
13 And we have some other cases.

14 THE COURT: This is their instruction to limit
15 the consideration of expert testimony in the medical field
16 to those opinions expressed with a reasonable medical cer-
17 tainty?

18 MR. PATRICK: Yes, sir.

19 THE COURT: And you have authority that that is
20 not necessary?

21 MR. PATRICK: Yes, sir. That is right.

22 Apparently the Sponagle (sic) case, which was
23 a malpractice case, specifically left that question open.

24 THE COURT: In this circuit?

25 MR. PATRICK: Yes, sir.

1 And it cited some cases which indicated that
2 circumstantial evidence, lay testimony and things of that
3 sort, would be admitted.

4 There are some cases that go both ways on the
5 issue.

6 THE COURT: Tell me again the D. C. reference.

7 Is it our Court of Appeals or the D. C. Court
8 of Appeals?

9 MR. PATRICK: Excuse me.

10 The case which Lockheed cited for its requested
11 charge 31 is Sponagle v. PreTerm, Incorporated, which is
12 shown as 108 Washington Law Reports, at paragraph 445,
13 a D.C., I believe it is --

14 THE COURT: Court of Appeals?

15 MR. PATRICK: I believe so. I have that case.
16 It is case No. 13,733. And I think that case does not
17 hold what Lockheed suggests it does.

18 In fact, it expressly says that they don't need
19 to determine what the standard is. And it was a medical
20 malpractice case as distinguished from a personal, you
21 know, the ordinary personal injury case.

22 THE COURT: Do you have some other D. C.
23 authority?

24 MR. PATRICK: No, sir. I'm afraid not at the
25 moment. We are still trying to find some more material on

1 it.

2 THE COURT: Okay.

3 Thank you.

4 MR. DUBUC: We have two authorities, Your Honor.

5 THE COURT: Local?

6 MR. DUBUC: Yes, Your Honor.

7 THE COURT: Well, I have everything that is
8 cited in the papers. I thought I was getting something
9 that wasn't cited.

10 MR. DUBUC: The other one is Harrington against
11 Austin, which is also cited.

12 THE COURT: I have those.

13 Okay.

14 Anything else before we resume?

15 [No response.]

16 THE COURT: Bring back the jury, Mr. Marshal.

17 The doctor can take the stand.

18 [Whereupon, Douglas Earl Busby resumed
19 the witness stand.]

20 [Jury enters.]

21 THE COURT: Mr. Lewis.

22 MR. LEWIS: Thank you, Your Honor.

23 DIRECT EXAMINATION (Cont'd)

24 BY MR. LEWIS:

25 Q Doctor, would you describe the relationship, if

1 any, between the injuries sustained by Michael Schneider as
2 a result of the hypoxia and the events that occurred at
3 the time of the explosive decompression and the events of
4 the crash, with reasonable medical certainty?

5 MR. DUBUC: Objection, Your Honor.

6 THE COURT: Objection overruled.

7 You may respond.

8 A The events were such that, as I stated before
9 lunch, brain injury occurred consequent to the hypoxia.
10 The hypoxia also set up the brain for damage from the ex-
11 tremely severe jolt, which we refer to as "G" forces, that
12 occurred in the accident.

13 These forces would have produced injury in any
14 case in Michael Schneider or other children of this age.

15 As I explained, the situation was ripe: The
16 seatbelt, a heavy head, the intense whipping, the motion
17 of the head back and forth with the possibility of striking
18 the childrens' heads on either side, as the case may be.

19 It is important to understand though that the
20 two events in themselves produced injury, but the com-
21 bination of them made the injury sustained much worse.

22 Q Doctor, do you have an opinion, with reasonable
23 medical certainty, based upon your review of the accident,
24 including the hypothetical that I gave you, as to whether
25 or not Michael Schneider's injuries, the MBD and the

1 epilepsy that I have asked you to assume, are compatible
2 with the type of events that you described?

3 A Positively.

4 MR. DUBUC: Objection, Your Honor.

5 THE COURT: Overruled.

6 BY MR. LEWIS:

7 Q Doctor, do pressure chamber experiments duplicate
8 what happened to Michael Schneider on this airplane?

9 A The only commonality between a decompression
10 chamber ride and the decompression that occurred is that
11 both involved decompression.

12 We are not talking about babies. We are talking
13 about adults. We are talking in most cases of individuals
14 who are going through a training protocol who have already
15 been provided oxygen. Let's say exposure to demonstrate
16 hypoxia or taking the mask off to see how long it takes to
17 lose consciousness or what we call useful consciousness
18 because you really never allow the individual to go so far
19 as these babies were exposed.

20 The other matter is decompression that occurs.
21 We do not expose pilots in training to even a demonstra-
22 tion decompression of the magnitude, of the weight of de-
23 compression, the bang that occurs of this type.

24 You are looking at a person who has been through
25 many, many decompressions, but I certainly don't want to

1 go through my decompression in .3 of a second. I have
2 certain risks I do not want to take in this life.

3 Q So, in a typical pressure chamber experiment of
4 the type that is done to acclimate military pilots and
5 civilian pilots, the type you are familiar with and ad-
6 ministered in the FAA, can you tell me whether or not they
7 get oxygen before the decompression and then afterwards?

8 A Well, there is a number of protocols or ways
9 of doing the training.

10 Q Typically.

11 A The typical way is to take the group -- that is,
12 of pilots -- with an instructor up in a chamber to altitude,
13 to take the air out of the chamber, evacuate it out, and
14 give them an elevation in equivalent altitude.

15 This, for the FAA, and I believe for the United
16 States Air Force, is to 25,000 feet. At that point every-
17 one is breathing oxygen, using a mask. A mask is taken
18 off an individual who volunteers to demonstrate deteriora-
19 tion in his ability to perform mentally as he gradually
20 deteriorates due to oxygen lack.

21 Now, this is at 25,000 feet. There is wide
22 variation between people in terms of the affect, but you
23 can expect that on the average between three and four
24 minutes, that individual will cease performing to the
25 degree that he can't count backwards or write his name and

1 Social Security number, as we used to demonstrate. He
2 will have turned blue. He will be smiling usually or he
3 might actually be pretty angry, as some people do have this
4 reaction to hypoxia. And at that point the oxygen mask
5 goes on.

6 Now, one must remember that when you remove
7 the oxygen mask he is already breathing 100 percent oxygen
8 through a well sealed face mask.

9 So, there is no way that you can compare this
10 to just suddenly sitting at 5,000 feet and .3 or a second
11 later being at 24,000 feet or the range thereof.

12 Q So, your testimony, then, is that they are not
13 comparable at all?

14 A Not comparable at all.

15 I might also add that the temperature conditions
16 in this situation are not even comparable. We have heaters
17 and appropriate air conditioning systems in decompression
18 chambers that keep the temperature at a reasonable level.

19 In other words, there isn't that much of a
20 cooling affect except for during the period of the de-
21 compression.

22 Q Now, from your experience in aircraft accidents,
23 do you have an opinion, with reasonable scientific cer-
24 tainty, as to whether the average "G" forces subjected by
25 this child would range from 1.66 to 3.6?

1 MR. DUBUC: Objection, Your Honor.

2 THE COURT: That objection is sustained.

3 MR. LEWIS: You may examine.

4 MR. DUBUC: Your Honor, may we approach the
5 bench?

6 THE COURT: Yes. Certainly.

7 [Whereupon, the following took place at
8 the bench, outside of the hearing of the jury:]

9 MR. DUBUC: Your Honor, I have two memorandums
10 from Dr. Busby and he did indicate that he had corresponded
11 with Dr. Snyder and Dr. Mason.

12 The question I want to know is whether he wrote
13 to them or they wrote to him. We are entitled to that.

14 Apparently this is what he has with him, which
15 are his summaries, which I intend to use. But he did
16 testify there was correspondence.

17 THE COURT: Mr. Dubuc, I have reflected about
18 this over lunch.

19 We have known for some time that this witness
20 was going to be for rebuttal. It seems to me if you want
21 the documents, you could have requested them.

22 MR. DUBUC: We asked for his curricula vitae and
23 lab reports.

24 THE COURT: You did ask for them?

25 MR. DUBUC: We have the curricula vitae. That is

1 the only thing we received.

2 MR. LEWIS: These are not reports. What this is
3 is this: As a result of this meeting Dr. Mason, who is
4 a pathologist, an aviation pathologist, talked with Dr.
5 Busby and said: Could you send me some data on it so I
6 can do some research?

7 Dr. Busby wrote a cover letter -- which we don't
8 have here -- and he sent these. We found these in Dr.
9 Cohen's file. I didn't have them.

10 So, this is all we have. This is the information
11 that this witness sent Dr. Mason so that Dr. Mason could
12 start working on the project, looking downstream.

13 We have tried to get Dr. Mason over here for a
14 long time and for scheduling reasons -- he is from
15 Edinberg -- he couldn't come.

16 Counsel heard Dr. Mason speak, for example, and
17 give this same testimony.

18 MR. DUBUC: I did not, Your Honor.

19 Dr. Mason spoke about generalized other acci-
20 dents. He gave no testimony.

21 THE COURT: All we can do is do what we can do.

22 Have you had a chance to read the material?

23 MR. DUBUC: I have read the material.

24 THE COURT: You can cross-examine from that.

25 MR. DUBUC: I want to ask him for the corres-

1 pondece.

2 THE COURT: See if you can elicit the substance
3 of it and then you can cross-examine.

4 MR. LEWIS: Your Honor, just on the question of
5 "G" force situation --

6 THE COURT: What?

7 MR. LEWIS: The "G" forces.

8 This witness has had a lot of experience and is
9 in a position to say --

10 THE COURT: I understood when you said that up
11 there, when Mr. Dubuc was asking the questions on voir
12 dire, you said you weren't going to have him do this kind
13 of thing. You were going to have him talk about engineer-
14 ing.

15 MR. LEWIS: The only thing he is going to say
16 is that the "G" forces can't be calculated. There are
17 too many variables.

18 THE COURT: We don't need that.

19 [Whereupon, the following took place
20 in open court:]

21 THE COURT: Mr. Dubuc.

22 CROSS-EXAMINATION

23 BY MR. DUBUC:

24 Q Good afternoon, Doctor.

25 A Good afternoon.

1 Q Doctor, you were just talking about some flight
2 chamber tests, which were the tests run, as I gathered,
3 by the FAA and the Air Force and other services for in-
4 doctrination and training of pilots; is that correct?

5 A That is correct, sir.

6 Q And you discussed the format, as I understand
7 it, which includes only taking the pressure chamber up to,
8 let's say, 25,000 feet and removing masks and watching
9 affects for apparently time abuse of consciousness; is that
10 correct?

11 A There are two types of the protocol, sir.

12 The first is the hypoxia demonstration.

13 The second is a decompression with mask downing.

14 Q So, there is actually a decompression?

15 A There is actually a decompression.

16 Q And is that usually at a higher altitude?

17 A It is done in different ways, depending on the
18 service that we are dealing with.

19 If I recall correctly, the altitude is usually --
20 the difference is usually from 8,000 to 25,000 or zero to
21 10,000 feet. It is through a pressure differential that
22 is enough to demonstrate satisfactorily the affects of
23 gas expansion.

24 Q Are there not some tests that are done up to
25 35,000 feet?

1 A The Air Force used to conduct its tests, I
2 believe, to 35 and has recently, at least in the past few
3 years, come back to 25,000 feet.

4 Q With respect to that sort of altitude chamber
5 decompression, are you familiar with the document entitled,
6 A Ten Year Survey of Chamber Reaction, using the FAA train-
7 ing chamber flight profiles that was published in February,
8 1977, which I understand is the period of time you were
9 with the FAA?

10 A Yes.

11 I, in fact, approved the paper for publication.

12 Q All right.

13 And in those decompressions, they went as high
14 as 29,000 feet; did they not?

15 A That is correct.

16 This was in the FAA chamber. They now follow
17 the Air Force protocol.

18 Q And there was a study there that included a
19 study of evolved gases; is that correct?

20 A Yes,

21 Q Do I understand your testimony correctly to be
22 that in your opinion because of the altitudes involved in
23 this accident there is very little likelihood of any ev-
24 olved gas or decompression sickness problem?

25 A I was getting into that point when I was

1 interrupted this morning and asked to condense my presenta-
2 tion.

3 It is highly unlikely that any decompression
4 sickness could have occurred.

5 I might say the reason for dropping back the
6 altitudes of exposure is because you are taking a group
7 of people up to an altitude and demonstrating with a
8 number of individuals hypoxia, taking the mask off. The
9 risk of bends or decompression sickness increases with
10 time and you need at least a minimum five-minute period on.
11 the average to start to produce the increase of risk.

12 Q That time was not present in this case; is that
13 correct?

14 A Not present, sir.

15 Q According to your own calculations?

16 A No.

17 According to the almost overwhelming evidence
18 and the scientific literature.

19 Q So, that is ruled out, as far as any precipita-
20 ting cause of affect?

21 A As far as I can see.

22 Q With reasonable medical certainty?

23 A With reasonable medical certainty.

24 Q Thank you.

25 Now, you have also mentioned a second part of

1 the factors in decompression and I think I heard you say
2 that in decompression, at the time of decompression itself,
3 and you correct me if I am wrong, the gases in the body
4 are exhaled, or they flow out, including the gases that
5 may be flowing out of the ears; is that correct? The
6 ears adjust to the decompression itself well?

7 A Any connection with the outside, the expanding
8 gas will get out.

9 Q Okay.

10 In this case were you aware that Michael
11 Schneider prior to this accident had been observed and
12 had some pus in one ear, which would indicate an opening
13 in the ear drum?

14 A If he had what we call a middle ear infection,
15 this is a possibility, that he had an opening in his
16 ear drum. On the other hand, it is possible to have pus
17 in the ear from an infection in the outer ear. The
18 probability would support, though, a middle ear infection.

19 Q Which would mean the ear drum would be open
20 and the air would go out that much quicker through that
21 particular ear drum?

22 A Yes. Along with pus.

23 Q Okay.

24 It would clear out the ear, wouldn't it, in
25 fact?

1 A Yes.

2 Q Now, you have told us that you looked at the
3 MADAR recorded altitudes of descent; is that correct?

4 A I understood that the MADAR recorded altitudes
5 of descent were reported in the collateral report, yes.

6 Q You didn't look at the MADAR tape itself?

7 A I did not look at the tape itself, sir.

8 Q And this is where you got the information you
9 used here?

10 A That is correct, sir.

11 Q Now, do you recall from that report, or did you
12 note from the MADAR information given that the descent
13 was not direct, but, indeed, the airplane would go to one
14 altitude and perhaps climb slightly and then again begin
15 its descent?

16 A Yes.

17 Q Now, isn't it a fact that that is one method
18 of relieving ear problems during a descent? Ear blocks
19 or pain, if noticed, a pilot can arrest the descent and
20 climb in order to relieve ear pressure?

21 A Where the descent profile was being changed --
22 that is at altitudes above 10,000 -- I doubt that the
23 altitude changes would have been significant enough to have
24 cleared any ear problems. We are more concerned with
25 altitude changes fairly close to the ground. In other

1 words, we are bringing an individual down in the chamber
2 before doing a demonstration of rapid decompression and
3 he said I can't clear my ears.

4 So, we take them up a little bit and we call
5 that bouncing the chamber.

6 Then he will come back and this will pop the
7 drum open. And then go down a little slower.

8 It really, I don't believe, applies to this case.

9 Q Now, you had mentioned as the second event or
10 one of the events that you based your opinion on is the
11 hypoxia event, is that correct?

12 A Yes.

13 Q Now, isn't it a fact that the time of useful
14 consciousness at an altitude of, say, 24,000 feet would
15 be three to five minutes?

16 A Three to five minutes for an adult taking off
17 a mask which was previously providing him 100 percent
18 oxygen.

19 Q And isn't it a fact that the time of useful
20 consciousness at 22,000 where they were within one minute
21 is five to ten minutes?

22 A No. It is not.

23 MR. LEWIS: What number is that?

24 MR. DUBUC: It is an FAA document, which I assume
25 Dr. Busby is well familiar with.

1 BY MR. DUBUC:

2 Q Are you familiar with a document entitled,
3 Physiological Training, published by the Department of
4 Transportation of the Federal Aviation Administration,
5 Civil Aeromedical Institute, Physiological Operations?

6 That is the CAMI you worked for.

7 Are you familiar with this document?

8 A Yes, I am.

9 MR. LEWIS: May I see one, Mr. Dubuc?

10 MR. DUBUC: I don't know if I have another here,
11 Mr. Lewis.

12 Perhaps you can share the Doctor's.

13 I don't have an extra one.

14 THE COURT: You are welcome to look over his
15 shoulder.

16 MR. LEWIS: Thank you, Your Honor.

17 I haven't seen this before, sir.

18 MR. DUBUC: This is, I believe, a public docu-
19 ment, which is published by the Federal Aviation Adminis-
20 tration.

21 MR. LEWIS: I still hadn't seen it, however.

22 BY MR. DUBUC:

23 Q Doctor, I am particularly interested in the
24 page, which is page 11 -- they are not all numbered --
25 page 11, called, "Effective Performance Time or Time of

1 Useful Consciousness." That is page 11.

2 A I am aware of this and this is actually being
3 written at the present time.

4 In fact, it is being incorporated in some work
5 I have done.

6 When you are talking about "useful consciousness"

7 I --

8 Q Just a minute.

9 MR. LEWIS: May the witness finish his answer?

10 MR. DUBUC: I only asked if he was aware of
11 that.

12 A Yes, I am aware.

13 THE COURT: Just a minute.

14 Come on. Settle down. Just a minute. Play
15 ball.

16 Go ahead.

17 BY MR. DUBUC:

18 Q I only asked if you were aware of this.

19 A I am aware of this.

20 Q And this is a publication of the Federal
21 Aviation Administration; is it not?

22 A Yes.

23 Q Does it not deal with physiological training,
24 which is what you have been telling us about, the altitude
25 chambers?

1 A Yes.

2 Q Does it not indicate on page 11 that the time
3 of useful consciousness, or effective performance time at
4 22,000 feet is five to ten minutes?

5 A That is effective performance time without
6 oxygen taken from literature in which the individual was
7 supplied with oxygen before he removed the mask. And I
8 would venture to say that that is an error compared to
9 other information.

10 Now, this is just a training manual. It is
11 not scientific. There are a number of errors in this and
12 I am well aware of the errors.

13 Q Were you connected with the CAMI Institute or
14 the Federal Aviation Administration during the period of
15 time this error was recognized?

16 A I don't believe I can answer that.

17 Q In any event, you disagree with that useful
18 consciousness, then, is that correct, five to ten minutes,
19 at 22,000 feet?

20 A Yes.

21 Q Now, the time of useful consciousness or
22 effective performance time, as is indicated in here, is
23 different from being unconscious; is it not?

24 A The time of useful consciousness is a very, very
25 vague term. It is really the time from the onset of

1 exposure to the time at which you can initiate an action
2 to save yourself.

3 Now, in some cases time of useful consciousness
4 has been measured simply by having the individual count
5 backwards and the usual term is "count backwards in
6 sevens, like 100, 93, 86.

7 In other situations, it is a reaction to an
8 emergency light and gong.

9 Now, a person who is counting backwards or
10 writing his name and Social Security number, particularly,
11 which he does quite often -- I shouldn't say "Social
12 Security" -- in the military it was name, rank and serial
13 number. These were things that he wrote quite often.
14 So, his time of useful consciousness was usually much
15 longer than let's say, responding satisfactorily to having
16 to don a mask, which was complex.

17 Again, it all has to do if you are on oxygen,
18 you are well primed, your tissues are well oxygenated at
19 the moment you take your mask off.

20 Q Well, Doctor, I would like to try it once again.

21 My question is: Isn't it a fact that there is
22 a difference between the time of useful consciousness or
23 effective performance, as indicated here, and a time of
24 total unconsciousness, which means passing out?

25 A If you are referring to passing out as actually

1 dropping down, yes.

2 Q Yes.

3 A Yes.

4 Q This document so indicated, does it not, in
5 the paragraph just above these statistics that altitudes
6 below 30,000 feet this time may differ considerably from
7 the time of total consciousness, the time it takes to pass
8 out?

9 A Yes.

10 Q Do you agree with that?

11 A There are marked differences between people
12 and there is a difference between the time of useful
13 consciousness and a time of total unconsciousness.

14 I might say there is also a definition here
15 which the FAA is going to be incorporating into its
16 revised version, called the time of safe unconsciousness,
17 a time at which you can be unconscious and not have brain
18 damage.

19 Q All right.

20 Now, you mentioned, I believe, in direct this
21 morning that you had published a book called, "Recent
22 Advances in Aerospace Medicine".

23 A I stated I was editor of a compilation of papers
24 in that book.

25 Q And in editing the book, did you review the

1 articles that were being published in there?

2 A Yes.

3 Q And as an editor, would you have published
4 something that was inaccurate?

5 A It would all depend on whether or not I knew
6 that it was inaccurate.

7 If there was a miscalculation, statistically
8 speaking, I would identify it possibly and make a change.

9 I believe one paper in there was published by
10 a French author by the name of Dr. Lavern. I picked that
11 up.

12 My role in doing this editing was primarily on
13 behalf of the Congress, the International Congress of
14 Aviation and Space Medicine, to compile the papers into
15 reasonable organization and make whatever changes were
16 necessary to effect a well readable document.

17 MR. DUBUC: I don't have extra copies of this.
18 Mr. Lewis is perfectly willing to look over the Doctor's
19 shoulder.

20 BY MR. DUBUC:

21 Q I am looking at page 199 of your book, edited
22 by you, entitled, "Recent Advances in Aerospace Medicine."
23 I am going to read you something and ask you --

24 MR. LEWIS: I would like to see it, if I might
25 have the courtesy.

1 THE COURT: He said that you would have it, Mr.
2 Lewis. Don't be a martyr about it.

3 [Whereupon, the book was handed to Mr. Lewis.]

4 MR. LEWIS: Indulge me just one moment, sir.

5 THE COURT: Yes.

6 BY MR. DUBUC:

7 Q Doctor, did you edit and approve this article
8 on factors influencing the time of safe consciousness,
9 as you just mentioned, for commercial jet passengers fol-
10 lowing cabin decompression, on page 199 of your book?

11 It sates --

12 MR. LEWIS: Who wrote it?

13 MR. DUBUC: It is by J. G. Gaume of the Douglas
14 Aircraft Corporation.

15 BY MR. DUBUC:

16 Q "A more accurate rule of thumb for safe ex-
17 posure to decompression might be the time the cabin
18 altitude is above a given level. Most subjects who have
19 been exposed in altitude chambers can tolerate several
20 minutes of hypoxia up to 25,000 feet without becoming
21 unconscious. Below 25,000 feet is considered relatively
22 safe without becoming unconscious."

23 Did you write those words?

24 A I did not write those words. Those are written
25 by Dr. Gaume.

1 Q Did you approve those in editing that book?

2 A Dr. Gaume submitted the paper for publication.

3 This paper was also published in the Journal
4 of Aviation, Space and Environmental Medicine and approved,
5 I presume by a group of editors for that journal.

6 Dr. Gaume was presenting some of his thoughts
7 based on what he had accumulated over the years in knowledge
8 and statistics relating specifically to whether or not
9 they should delete oxygen systems on passenger carrying air-
10 craft. This was the purpose of his writing this review.
11 It is not based on original research. He is only stating
12 what he feels is a view based on the available literature
13 at that time.

14 It is interesting, and I discussed this with
15 Dr. Gaume. We are back to the same issue that I raised
16 repeatedly. That is, we have been talking about chamber
17 rides, not exposing babies to .3 of a second to 24,000
18 feet.

19 Q Do you disagree with Dr. Gaume?

20 A He is only stating what was available at the
21 time.

22 Q You mentioned that you had written a portion
23 of the Encyclopedia Britannica dealing with aerospace
24 medicine; is that correct?

25 A That is correct.

1 Q Do you recall publishing therein, in connection
2 with stresses of aerospace flight --

3 MR. LEWIS: Could you tell me what it is you
4 are going to ask the witness about?

5 MR. DUBUC: I am trying to tell Mr. Lewis, Your
6 Honor.

7 It is on page 142, under aerospace medicine,
8 in the Encyclopedia Britannica, referred to by Dr. Busby.

9 MR. LEWIS: Would you show me what part, so I
10 don't have to read the whole thing.

11 MR. DUBUC: Stresses of aerospace flight, at
12 the bottom right-hand side of the page.

13 MR. LEWIS: I see it.

14 Thank you.

15 BY MR. DUBUC:

16 Q That states: Hypoxia can limit useful function
17 within ten to fifteen minutes of exposure to atmosphere
18 at an elevation of 12,000 to 15,000 feet. At 20,000 to
19 23,000 feet unconsciousness can occur within several min-
20 utes.

21 Do you agree with that?

22 A Several minutes, it can occur, correct.

23 THE COURT: Just a moment.

24 Let Mr. Lewis furnish the witness with a copy.

25 MR. LEWIS: May the witness have the article?

1 THE COURT: Certainly.

2 [Whereupon, the article was handed to the
3 witness.]

4 A Correct.

5 BY MR. DUBUC:

6 Q Now, isn't it a fact, Doctor, that it is the
7 unconsciousness part of this that become a potential for
8 brain damage?

9 A The unconsciousness part, in my concern, is the
10 affect of the lowered oxygen on the brain, developing
11 brain cell of a child, not an adult, to which these people
12 which are mentioned in these articles.

13 Q Well, isn't it a fact that in the relatively
14 fast majority of cases where you have any brain damage
15 from hypoxia there is some period of actual unconsciousness?

16 A Yes.

17 Q And, so, if we had an atmosphere where no one
18 is unconscious and according to the statistics and even
19 theoretical research, as you yourself have recorded in
20 the Encyclopedia Britannica, no unconsciousness occurs,
21 isn't it unlikely that you are going to have brain damage?

22 A If I took an individual seated in this room to
23 23,000 feet, it would take some time to get up there. I
24 would go through a number of altitudes, at which I would
25 already be building up a degree of hypoxia. When I got up

1 to 23,000 feet that person may still be conscious. But
2 that doesn't mean that brain damage is not occurring.

3 By the same token, as we know alcohol, and this
4 is the best analogy that I know of, alcohol does brain
5 damage in much the same way as hypoxia does. But you
6 don't have to be unconscious from alcohol to produce brain
7 damage.

8 Q Well, isn't there a factor of time with respect
9 to alcohol?

10 A That is the point that I am trying to make.

11 Q And within the time periods we have been looking
12 at in this FAA document, which you indicate you don't
13 agree with, but even in the book edited by you as to time
14 of safe consciousness and the Encyclopedia Britannica
15 article which you published, isn't it a fact that you are
16 talking about more than a minute or two at altitudes above
17 21,000 feet in order to have the kind of unconsciousness
18 or partial unconsciousness that involves potential brain
19 damage?

20 A Sir, it would be contradicting my understanding
21 of aerospace medicine to agree with you on this point for
22 we are dealing with two different situations: The adult
23 in a decompression chamber taking off a mask, usually
24 having been provided pure oxygen, and a child being sud-
25 denly exposed without the benefit of oxygen to 23,000 plus

1 feet.

2 Q Now, Doctor, in rendering your opinion, I know
3 you make some assumptions, and I know you indicated that
4 you have read the collateral report by the Air Force.

5 Did I understand you to say that you had not
6 read the official Air Force accident investigation report,
7 which is the safety report?

8 A There were, I believe, three volumes to the
9 collateral report. I was provided these three volumes to
10 read.

11 If I remember, the first described the accident
12 scene and included a number of sworn statements. And I
13 believe the others were principally statements in the
14 engineering area and I did not read those in detail.

15 Q Well, my question, Doctor: Do you know the
16 difference from your experience between the Air Force
17 accident report or the safety report and the Air Force
18 collateral report and the reasons for that?

19 A I do not, sir.

20 Q I show you what has been marked Exhibit D-3 for
21 identification, which is a summary of the Air Force acci-
22 dent report, and ask if you have seen this document?

23 A I do not recall seeing this report, sir, although
24 it contains some paragraphs that are remarkably similar to
25 those that I viewed in Mr. Lewis' office a few weeks ago.

1 Q Who selected the documents you read? Did you
2 select them from a list or index of what was available or
3 was it selectively given to you?

4 A I requested any information that could be pro-
5 vided in creating a scene of the accident and I was asked
6 if I would like to see the collateral report and I res-
7 ponded affirmatively and I was provided this to review.

8 There were also some documents which didn't
9 provide very much information. I believe there were the
10 death certificates that I also viewed, but very briefly.
11 And I don't know whether or not those were part of the
12 collateral report as well, but I did see those separately.

13 Q But did you ask whether there were any other
14 accident report information other than the one that was
15 given to you, the collateral report?

16 A No. I did not ask.

17 Q You mentioned some correspondence with Dr.
18 Snyder and another physician in Scotland, I believe.

19 A Yes.

20 Dr. Mason and Dr. Snyder.

21 Q Did you write to both of them?

22 A I wrote to Dr. Mason, a very brief cover letter,
23 saying I had been in Mr. Lewis' office and I knew Dr. Mason
24 was very interested in determining particularly the vectors
25 of the accident and consequently I felt that certain

1 excerpts I had taken for my own use might be useful to
2 him from a scientific standpoint.

3 I sent a copy of this letter to Dr. Snyder.

4 Q Did you get a response from either of them?

5 A No. I have not received a response.

6 Q Now, you mentioned you discussed it with him on
7 the telephone, however.

8 A I discussed the status of our gathering of
9 information with Dr. Snyder on the telephone.

10 Q Now, I believe I heard you correctly this
11 morning, but you correct me again if I am wrong, with your
12 hypothesis, which was the underlying basis of your opinion,
13 as I understood it, you gave some computations with respect
14 to millimeters of mercury at various altitudes. And
15 I thought I understood you to come up with a computation
16 for millimeters of mercury at the lung in the vicinity of
17 8 and included within those computations, I thought I heard
18 you say, this assumes that there is no time or attempt by
19 one of the infants to increase the rate of breathing.

20 Am I accurate on that?

21 A You are accurate, sir. I gave the worse situation.

22 Q Now, that assumption assumes that none of the
23 self-protective physiological factors of the body were
24 operative as might be in a normal hypoxia situation; is it

1 not?

2 A In any situation there has to be a period of
3 time for the body's mechanisms to come into play. And
4 the initial period after decompression, there is a lag
5 time for the oxygen to get to a low enough level in the
6 brain to be able to stimulate an increase in the rate and
7 depth of breathing.

8 And this, I feel, explains the extremely low
9 oxygen tension to which these infants were subjected in
10 the initial period, particularly after the decompression.

11 Q Well, now, from what you just said, would you
12 agree with me that shortly after the decompression, in a
13 matter of seconds, the oxygen content in the body, at least
14 coming from the lungs, is not going to be changed imme-
15 diately. You just said it takes some time for the brain
16 to react and not necessarily respire or breathe more
17 rapidly.

18 Is that correct?

19 A Well, the oxygen tension in the lungs, in the
20 blood returning to the lungs, is actually approximately
21 40 millimeters in mercury. So, we are already starting at
22 what we call the venus level, the blood returning to the
23 lungs.

24 In the immediate period after the decompression --
25 that is within a matter of five to ten seconds -- there

1 would have been a loss of oxygen from the body, actually
2 being extracted by the decompression from the body, be-
3 cause of the low pressure.

4 Now, the total amount of pressure available for
5 oxygen and carbon dioxide in the lungs at 23,424 feet is
6 only 56 millimeters of mercury.

7 Now, I said that carbon dioxide is a factor.
8 There are 40 millimeters of carbon dioxide. And that leaves
9 us with -- I am sorry. I used the figure of 56. It is
10 54. That leaves us with 14 millimeters.

11 We know that a sleeping infant has an elevated
12 carbon dioxide. In fact, all of us have. So, the sleep-
13 ing infants were particularly at risk in this situation
14 because they were just releasing so much carbon monoxide
15 that there wasn't enough room for oxygen.

16 Q Well, of course, sleeping infants would have
17 a lower carbon dioxide content than an adult who is up
18 and active at the same time; would they not?

19 A No.

20 Q They would not?

21 A No.

22 Q You disagree with that?

23 A I disagree.

24 It is a physiological fact that a sleeping
25 individual has an elevated carbon dioxide. This is what

1 makes us yawn.

2 Q But a sleeping individual needs less oxygen;
3 isn't that correct?

4 A A sleeping individual in general needs less
5 oxygen, but the brain uses the same oxygen whether a per-
6 son is sleeping or awake. So, we have to be careful of
7 our semantics.

8 Q All right.

9 Isn't it also a fact that if the brain needs
10 oxygen the body has a priority system which will give it
11 to the brain?

12 A It is not necessarily fully effective at one
13 time.

14 Q Is that called shunting oxygen to the brain?

15 A In a flight or flight situation, as all members
16 of the animal kingdom have, when they are suddenly con-
17 fronted with an enormous stress, a tiger has jumped in
18 front of you, there is usually a greater amount of blood
19 flow to the brain and to muscles at the expense of blood
20 going through the liver and spleen and so on. But this
21 would not apply in this case because there wasn't enough
22 time to get the reserves mobilized.

23 Q Well, are you suggesting, Doctor, that the
24 hypoxic damage in this case occurred ten or twenty seconds?

25 A No, I am not.

1 It is possible to have had the decompression
2 and not even been awakened by it.

3 Q Now, within ten to twenty seconds, am I correct,
4 that if the brain of a person, infant or adult, needed
5 extra oxygen, this priority system would have gone into
6 effect, sleeping or not sleeping?

7 A Adrenalin could have been mobilized in this
8 period of time to be circulated. The affect on breathing
9 due to hypoxia is not as great as generally assumed.

10 Q Now, isn't it also a fact that at that altitude --
11 let's say 23 or 22,000 feet, because you had a descent
12 starting within a minute -- let's say 22 to 23,000 feet --
13 isn't it a fact that because of the altitude the blood
14 or the cardiac output of the heart and the disassociation
15 curve that is related to that in aerospace medicine would
16 move more blood, and have reduced pressure?

17 A You are talking about an increased cardiac
18 output, more blood moved?

19 Q Yes.

20 A Yes. There would have been some compensation
21 in this area.

22 Q And isn't it also a fact that there would be an
23 increased pulse rate?

24 A We are talking about the same thing, yes.

25 Q Okay.

1 And isn't it also a fact that oxygen is released
2 easier from hemoglobin at that altitude to get into the
3 brain?

4 A Oxygen is released easier, but you have to
5 have it provided to have it released.

6 Q And isn't there also an involuntary reaction of
7 the body when oxygen is needed, known as hyperventilation,
8 which in adults or children they begin to breathe harder
9 than needed, and, therefore, compensate within a few sec-
10 onds for the hypoxic situation?

11 A We discussed this before and it doesn't neces-
12 sarily occur within a few seconds. In fact, if you drop
13 the partial pressure of oxygen by 65 milimeters of mercury,
14 which is quite a drop, you only get one-third increase in
15 the rate and depth of breathing. And interestingly enough,
16 as soon as you increase the rate and depth of breathing,
17 the carbon dioxide goes down and that slows down the rate
18 and depth of breathing.

19 Q Now, we have been talking theoretics pretty much,
20 I realize.

21 In this particular situation we have not only
22 theoretics, we have actual people who have come in and told
23 us about what went on in the troop compartment.

24 Isn't that the case?

25 A I do not know, sir.

1 Q Well, you read the collateral report; did you
2 not?

3 A Yes, I did.

4 Q And you read a lot of statements by several
5 people who have testified already, such as the flight
6 crew and the flight nurses?

7 A I have not been privy to anyone who has attended
8 this trial, sir.

9 Q Well, do you recall reading the statement of
10 Dr. Stark, for example?

11 A Yes.

12 Q I want you to assume that Dr. Stark has provided
13 evidence indicating that after the decompression he did
14 not don an oxygen mask himself.

15 I want you to assume he is a man in his 50s.

16 I want you to assume that he was active following
17 the decompression, moving back and forth and attempting to
18 give oxygen to children in the troop compartment and that
19 he remained active in that manner, not only in distributing
20 oxygen, but actually going back and forth and checking the
21 childrens' seatbelts, pillows and so on, as they were
22 secured before the landing.

23 He did not have a seat on the landing. He was
24 not injured. He assisted the children afterwards in
25 evacuating from the airplane. He never used an oxygen

1 mask. And at the same time he was active, as were the crew
2 members, in administering assistance to other passengers.

3 Now, isn't that a factor that is of some signi-
4 ficance in considering what, if any, the affects of hypoxia
5 would have been during this time?

6 A My first question to Dr. Stark would be: Sir,
7 you have exhibited something which I have seen so many
8 times and read so much about: A person that goes uncon-
9 scious, regains consciousness, and doesn't know they have
10 been unconscious.

11 Q Is that your assumption?

12 A That is my assumption, sir.

13 Q Did you read Dr. Stark's statement in the col-
14 lateral report?

15 A I did. But I do not recall it in detail.

16 Q Well, I think you made some notes on it.

17 I don't see any indication in your notes to the
18 effect that perhaps he might have been unconscious or not
19 known it.

20 A Sir, my writing up of the collateral report for
21 our fellow scientists was strictly an excerpting and I
22 felt it would be very inappropriate for me to draw any
23 opinions in my summary.

24 Q Now, I want you to assume something else.

25 I want you to assume that a flight nurse who was

1 in charge of the medical team, Captain Regine Aune, at the
2 time of decompression did not don an oxygen mask im-
3 mediately and, in fact, not for several minutes, and was
4 actively engaged in assisting to give oxygen to and to
5 move another crew member from the ladder into the airplane
6 and to administer to him before she used any oxygen and
7 she used it only for a brief period of time before they
8 were below 16,000 feet, which you have indicated is
9 approximately two minutes, and felt no ill effects.

10 Now, would that be significant to you?

11 THE COURT: Before you answer, Doctor, there is
12 an objection.

13 MR. LEWIS: I don't think that completely des-
14 cribes Lt. Aune's testimony or the testimony of the other
15 nurse.

16 THE COURT: You can fix that by asking another
17 question.

18 MR. LEWIS: All right, sir.

19 BY MR. DUBUC:

20 Q Would that make any difference to you?

21 Or do you think Captain Aune also became un-
22 conscious and didn't realize it, having described the
23 details of what she was doing during this period?

24 A In an emergency situation there is remarkable
25 misinterpretation of the times that events occurred. This

1 has been seen so many times in aviation accidents. You
2 ask a person how long was it before you got an oxygen
3 mask on and I can recall people writing letters to the FAA
4 saying I couldn't see any oxygen flow in my mask for a
5 minute or so. And the feeling there is, we are in an
6 anxiety producing situation, an emergency situation, some-
7 thing has happened.

8 Usually people will react very quickly and yet
9 explain it on the basis of how long it took.

10 I think another good example of this, of course,
11 is the time for an ambulance to arrive when you call the
12 ambulance. And I did think of this situation when I read
13 her sworn statement. I said: I wonder how long it really
14 was between the time that she experienced the decompres-
15 sion and really got her oxygen mask on.

16 Q You wondered whether she was unconscious for
17 a period of time, as well?

18 A I am not saying that, sir.

19 Q Now, you read some other statements and I asked
20 you to consider these facts: Assume that not only Captain
21 Aune and Dr. Stark, but also several other flight nurses
22 who were using oxygen intermittently observed the orphans
23 in the troop compartment as they descended and this in-
24 cluded one practical nurse who noticed only one of the
25 orphans who might have been turning blue, and the remainder

1 of them were checking carefully and trying to distribute
2 oxygen and found that none other than this particular one
3 that was given oxygen immediately exhibited any signs of
4 blueness or hypoxia.

5 Is that something you would consider as a fact
6 in rendering an opinion?

7 MR. LEWIS: It does not accurately describe it.

8 THE COURT: You can state another premise on
9 redirect.

10 MR. LEWIS: Yes.

11 A Well, we don't discuss in very much detail in
12 our physiological training the importance of seeing the
13 blueness of the nails and lips in a decompression. It is
14 extremely unreliable in a dark skinned person, including
15 an Asian, to be able to see cyanosis. And I know that I
16 have usually, in giving lectures, said that it is just
17 something that you can't rely upon. You don't tell a
18 pilot to look at his nails, particularly if he is a black,
19 to see whether or not he is getting cyanotic, as a test
20 for hypoxia.

21 So, consequently, I can't believe that this
22 interpretation was reliable.

23 Q I see.

24 Did you disagree with the collateral report
25 itself that you relied upon in giving the facts underlying

1 your opinion?

2 A Well, you are dealing with people who are giving
3 reports as they see them and events as they see them and
4 in a very, very critical situation, extreme anxiety,
5 extreme forces involved. And you are dealing with people
6 who are recollecting a very emotional and traumatic event.
7 And you also are dealing with people who have not had the
8 amount of background in training who asks the questions.
9 And this is one point that I repeatedly have made. If you
10 ask the questions, specifically related to certain areas,
11 that we feel would cast some light on this, we would have a
12 much clearer picture of what really happened.

13 These are very condensed versions. You can
14 see that they are written or sworn to by these people,
15 many of whom were injured, many of whom really, as you can
16 see, did not even want to speak about the accident.

17 Q Well, Doctor, I notice I guess in your notes
18 that were just handed to me over the noon hour today -- are
19 these notes a summary of what you considered important from
20 the collateral report review that you used and that you
21 sent to Dr. Snyder and Dr. Mason?

22 A This is an extract of things that I considered
23 pertinent to my interpretation of the case and have to be
24 taken at face value based on the conditions of the witnesses
25 at the time.

1 Q Is one of the things you wrote "the six medical
2 crew and three flight crew members in the troop compart-
3 ment maintaining complete composure, carefully checked
4 the seatbelts, pillows, and blankets of each infant to
5 insure maximum security"?

6 Did you write that and interpret that in reading
7 the accident report?

8 A I believe that is a direct quote. That is from
9 page 2, yes.

10 The first or second page of the collateral
11 report.

12 Q Did you consider that significant; that they
13 were described to have complete composure in handling the
14 situation?

15 A I am only making a statement that somebody else
16 made a statement of what somebody else did.

17 I have never seen a crew, whether it was
18 military or otherwise, maintain such complete composure in
19 a situation like this.

20 Q This was a trained military air evac crew.

21 Were you told that?

22 A Absolutely.

23 Q Did you know that?

24 A Yes.

25 Q They were trained to go into wartime conditions?

1 A Yes.

2 I have flown with them when I was in the Air
3 Force.

4 Q Now, with respect to these crew members who
5 were working, one of them was out of an oxygen mask for
6 several minutes -- just assume that to be the case -- and
7 assume that Dr. Stark was working without an oxygen mask
8 all the way during the descent and assume that other crew
9 members, including the co-pilot and certain of the crew
10 members took their masks off at 16,000 feet and they did
11 not suffer any signs of hypoxia and they didn't observe
12 any, even though using oxygen, who must have been alert.

13 Now, isn't that a significant fact to be con-
14 sidered?

15 A I don't recall reading what altitude the
16 captain downed his mask, sir.

17 Q Assume it was 16,000 feet and it was the co-
18 pilot.

19 A I would have assumed it would have been a lower
20 altitude in order to meet the necessary regulations.

21 Q What regulations are you referring to?

22 A I understand that the military is essentially the
23 same regulations as the Federal Aviation Administration for
24 its passenger carrying aircraft, and that is 14,000 feet.

25 Q 'Do you know that to be a fact today?

1 A I do not know it as a fact.

2 Q Was that a fact when you were in the Air Force?

3 A I was not involved in passenger carrying air-
4 craft.

5 Q You were not a pilot?

6 A I was not involved in passenger carrying. I
7 was not a pilot.

8 Q You were not a pilot?

9 A No.

10 Q What is your basis for your statement that the
11 military requirements are the same as the Federal Aviation
12 Administration requirements?

13 Let's say as of April 4, 1975.

14 A In general we shared a great many physiological
15 facts as related to the flight environment.

16 The Air Force has generally been more con-
17 servative than the Federal Aviation Administration. They
18 require their pilots to fly with oxygen at 10,000 feet
19 and above and cabin altitudes.

20 The FAA has 12,500 above.

21 I can go into the regulations. All I am saying
22 is that for a passenger carrying operations that in general
23 the provision of oxygen is assumably much the same because
24 we borrowed each other's regulations. And 16,000 feet is
25 an area, as I believe you previously quoted me, is an area

1 in which significant performance decrement occurs over a
2 matter of minutes.

3 Q I think the time I quoted you for 16,000 feet
4 was 30 minutes. 15,000 to 18,000, at least in this
5 report of the FAA we were discussing, is 30 minutes.

6 Would you disagree with that?

7 A Yes.

8 We have demonstrated this repeatedly; that an
9 individual significantly loses his flight vision now as
10 low as 5,000 feet above the ground if he is living at
11 sea level and that at 10,000 feet over a period of ten
12 to fifteen minutes you develop a very severe headache and
13 that at 12,000 to 15,000 feet you can have subtle judgment,
14 memory difficulties, and calculation and the whole works
15 within a matter of five to ten minutes. And now we are
16 not even up to 16,000 feet yet.

17 Q What do you have at 16,000 feet?

18 A 16,000 feet, extremely severe headache, nausea
19 in some cases, marked judgment performance, memory per-
20 formance, calculation performance, coordination.

21 Q But not unconsciousness?

22 A Not usually.

23 Q And not brain damage?

24 A In some individuals it might be possible to take
25 them to that altitude for a prolonged period and produce

1 brain damage.

2 Q A prolonged period would be how long?

3 A I am talking about hours to days.

4 Q Hours to days?

5 A Yes. At 16,000 feet.

6 Q Not two or three minutes, however; is that
7 correct?

8 I want to be sure I understand you.

9 You said hours to days.

10 A I said you are taking them up. You are not
11 shooting them up.

12 THE COURT: Does that complete your examination?

13 MR. DUBUC: No, it doesn't, Your Honor.

14 BY MR. DUBUC:

15 Q Now, Doctor, if we assume that these flight
16 attendants and passengers who were acting as attendants
17 did not indeed go unconscious without knowing it and were
18 able to recall what they had done and make statements about
19 it shortly after the accident, would you agree with me that
20 they in that condition of work or stress and fatigue would
21 be more highly susceptible to hypoxia than a passenger
22 sitting, such as one of these children, who is not working
23 and not active at all during this descent within three or
24 four minutes?

25 MR. LEWIS: Your Honor, could I have a continuing

1 objection to the premise? I don't want to interrupt every
2 time.

3 THE COURT: Yes. You do have an objection.

4 It is overruled.

5 A People working, yes. This is an area that I
6 have done extensive research in.

7 Q You did two articles on it, did you not, the
8 affect on airline flight attendants?

9 A Yes.

10 Q Would you agree with me, based upon your articles
11 and general information, that somebody working would be
12 more likely to show signs of hypoxia if the content and
13 pressure of the oxygen in the air was insufficient to
14 sustain that activity than someone who is resting or sit-
15 ting in a seat?

16 A Yes, sir.

17 A number of factors relate to increased sus-
18 ceptibility.

19 Q Did you also publish an article entitled, "The
20 Affect of Age on the Elasticity of Major Brain Arteries"?

21 A Yes.

22 Q Was the thrust of that article that instances
23 people younger than, say, age 30, including children, had
24 more elasticity in the brain arteries as far as being able
25 to resist deviations or surges of blood flowing through

1 them?

2 A This study was conducted on the major brain
3 arteries. That is, the arteries that feed the brain around
4 the base of the brain. It did not involve the arteries
5 that would normally be affected in the condition that we
6 are talking about in this case.

7 Q It would not?

8 A No.

9 Q Would there be any affect on the arteries and
10 the condition you are talking about in this case?

11 A Can I ask for clarification, sir?

12 Q Sure.

13 A Could you restate the question?

14 Q You said that this wouldn't have any affect on
15 the brain arteries and the circumstances we are consider-
16 ing in this case.

17 My question is: Isn't your article directed to
18 the fact that with respect to elasticity of brain arteries
19 and cells in infants and younger people that they can
20 sustain problems in either hypoxia or decompression
21 atmosphere better than adults?

22 Isn't age a factor?

23 A The infant brain is much more susceptible in
24 its developing phases to hypoxia. This is an established
25 scientific fact.

1 At the age at which these children were exposed
2 to this hypoxia insult, it is the stage at which the
3 fatty wrapping is developing around the nerves to allow
4 the nerves to conduct effectively, much as the insulation
5 is put around the wire. And children who are exposed to
6 significant hypoxia show a delayed development of this
7 so-called myelin sheet that goes around the nerves.

8 Q Would you agree with me that there are some
9 other factors that relate to age that pertain to sus-
10 ceptibility or resistance to the affects of hypoxia, such
11 as obesity?

12 A Obesity is not as much a factor as it has been
13 reported earlier.

14 The major concern with obesity is the suscepti-
15 bility to producing bubbles at an altitude rather than
16 hypoxia.

17 But I think the major ones are fever and
18 chilling, and, of course, smoking.

19 Q How about arterial changes, arteriosclerosis,
20 as to age?

21 A If the arteries significantly narrowed,
22 particularly in supplying blood to the heart and to the
23 brain, it is conceivable that at altitude you would be more
24 susceptible to a restriction of a supply of oxygen.

25 Q The same long term study we were talking about

1 that the FAA conducted, are you familiar with the fact that
2 they found some differences in a response with repeated
3 chamber exposures to persons of older age as compared with
4 persons of a younger age?

5 A This has been found repeatedly, but it has not
6 been studied in infants, which I assume you are alluding
7 to.

8 Q Well, would you agree with me that age is in
9 the normal circumstances of the usual human being without
10 any specific problems, that the younger person, younger
11 aviator of 20, for example, would sustain hypoxia and
12 affects of decompression better than an aviator of 40?

13 A I have sat on panels in which this has been
14 argued and we could go all afternoon on this.

15 Usually the argument advanced by some physio-
16 logists is the younger aviator is fitter and better able
17 to handle the oxygen supply that he has.

18 So, consequently, one would have to standardize
19 the population. I cannot answer that positively or
20 negatively, sir.

1 Q Now, let's get back to your suggestion that these
2 infants, for some reason, had some different effect upon
3 them than did the working attendants in the troop compartment.

4 Would you agree with me that those infants would
5 have involuntarily hyperventilated and would have generated
6 the self-protecting mechanisms that we have already talked
7 about: higher heart rate, faster blood flow, better circula-
8 tion, increased release of oxygen in altitude as would an
9 adult?

10 A The use of the term "hyperventilation" does not
11 apply to this situation. Hyperventilation means a form of
12 breathing which is an unhealthy form of breathing with ex-
13 cessive loss of carbon dioxide --

14 Q Well, what --

15 A What you are referring to is an hypoxic scheme of
16 producing an increase in the rate of breathing --

17 Q We are talking about an hypoxic state demand.

18 A Normally, the demand is not very much when you
19 consider hypoxia per se; in other words, hypoxia, or lowered
20 oxygen, is not a potent stimulator of breathing.

21 Dr. John Ernsting in Britain has published extensive
22 on it. The reaction of the child to its ability to respond
23 quickly has not been studied, and there are people who feel
24 that the hypoxic stimulus in an infant is not reacted to in
25 the same way as in an adult, particularly through the early

1 years, before all of the nerves are fully developed.

2 Q Well, Doctor, you are talking in an aeronautical
3 field, but I would like to ask you before forming your opinion,
4 did you read anything in the pediatrics field that would apply
5 to infants that would have given you any basis for what you
6 are saying, as far as children, having already admitted to it
7 that there is very little aerospace research in this field?

8 A I have read from in the pediatrics field and have
9 several references. As I mentioned earlier, I was involved
10 in a conference last year up in California specifically look-
11 ing at the subject.

12 Q You recognize the book "Pediatrics" by Abram
13 Rudolph as an authority in the pediatrics field?

14 A Yes.

15 Q I am looking at his book, at an excerpt from page
16 1513 which states, "In both full term and premature new borns,
17 hypoxic inspired gas, 12-15 percent oxygen produces a 10 to 20
18 percent increase in minute ventilation during the first minute
19 followed by a decrease of 10-20 percent of control values by
20 the third minute. There is a trend toward a greater hyper-
21 ventilation phase with increasing gestational age. At about
22 10 days after birth with the full term and by 18 days in the
23 prematurely born, gradient hypoxic gas mixtures cause sus-
24 tained hyperventilation."

25 THE COURT: Excuse me before you answer that, Doctor.

1 Is that the end of the question, Mr. Dubuc? Have
2 you finished your question?

3 BY MR. DUBUC:

4 Q Would you agree with that?

5 THE COURT: Now there is an objection.

6 MR. LEWIS: That does not fit, Your Honor. Our
7 child is much older than that by everybody --

8 THE COURT: Yes. Objection sustained.

9 MR. DUBUC: May I approach the bench, Your Honor?

10 THE COURT: We will take a recess.

11 Ladies and gentlemen, you are excused until 3:20.

12 (Jury leaves.)

13 THE COURT: Do you want the doctor in the room while
14 you talk?

15 MR. DUBUC: No.

16 THE COURT: Just step down, Doctor, and make your-
17 self comfortable.

18 MR. DUBUC: Your Honor, I am making an offer of
19 proof on this question because perhaps it was misunderstood.

20 THE COURT: Mayhaps.

21 MR. DUBUC: What I am reading here is an excerpt
22 from "Pediatrics" setting forth the fact that the involuntary
23 hyperventilation instinct in newly born infants of ten days
24 and so on is not necessarily automatic, but after 18 days they
25 do have that.

1 My question to him is whether he agrees with it.

2 I am making an offer of proof that if he agrees with it, then
3 a child of over 18 days or 20 days of age is going to have
4 an involuntary hyperventilation reaction in a hypoxic situa-
5 tion whether he is one year, two years or three years old.

6 THE COURT: I just have the feeling that you are
7 bailing out the ocean here and that you will never get to the
8 bottom of it.

9 MR. DUBUC: Dr. Busby was on the stand for the
10 entire morning and the better part of this afternoon. I have
11 only been at it about an hour. He covered an awful lot of
12 area based on things I have not seen before until now.

13 THE COURT: That is a very narrow portion. Most of
14 these things must have been collecting for several weeks,
15 certainly not since this morning.

16 MR. DUBUC: He has come up with some different
17 theories here.

18 THE COURT: Well, you go ahead. You are certainly
19 privileged to cross-examine.

20 (Recess.)

21 THE COURT: We are going to stop at 5 o'clock to
22 go to the Instructions.

23 (Jury enters.)

24 THE COURT: Mr. Dubuc.

1 Whereupon,

2 DOUGLAS EARL BUSBY

3 resumed the stand and testified further as follows:

4 CROSS-EXAMINATION (Resumed)

5 BY MR. DUBUC:

6 Q Dr. Busby, before the break I referred you to
7 "Pediatrics" and I will ask you now would you agree with me
8 that if pediatric authorities indicate that a child after
9 18 to 20 days of age develops an involuntary ability to hyper-
10 ventilate, that a child of a year and a half to a year and
11 seven months would undoubtedly have the same ability?

12 A I really can't put enough together in what you are
13 saying, sir, to give you an answer. We know that there are
14 various stages of brain development in a child that alter
15 sensitivity to gases, be it carbon dioxide or oxygen and,
16 consequently, just because something is happening at 18 days
17 doesn't mean it is going to happen forever.

18 Even during this period of time there is a marked
19 change, or a marked difference I should say in the quality
20 of the chemical that transports oxygen.

21 Q Are you familiar or have you, in the course of
22 reviewing pediatrics data that you said you reviewed before
23 formulating your opinion with a publication by G. G. Haddad,
24 Dr. Ernsting and Dr. Katz of Columbia University Babies
25 Hospital in New York entitled "Breath Control and Ventilation

1 in Normal Infants during Sleep"?

2 A Yes, that paper was reviewed by me when I was pre-
3 paring for the California conference last year.

4 Q Did you consider that to be a paper that was fairly
5 factual and accurate?

6 A I understand that is a competent scientific group.

7 Q Do you recall the conclusion?

8 A No, I don't, sir.

9 Q I am going to read you the conclusion and I will
10 ask you if you agree with it. . .

11 THE COURT: Just a moment, there is an objection.

12 MR. LEWIS: May I see that part?

13 MR. DUBUC: I showed it to him before the break,
14 Your Honor.

15 THE COURT: Look at it again.

16 BY MR. DUBUC:

17 Q The conclusion, Doctor, is as follows: "Instantan-
18 eous ventilation is kept relatively constant in each age and
19 in each sleep state by controlling the mean inspiration flow
20 and the effective respiratory timing. This control is main-
21 tained during a period ofand in spite of why differences
22 in the pattern of ventilation in REM and quiet sleep."

23 MR. LEWIS: May the doctor look at it?

24 THE WITNESS: I have said this many times. It is
25 a scientist's folly to read a conclusion and agree with it

1 Q That is right.

2 A I assume a child would be ventilating normally,
3 otherwise it wouldn't be surviving.

4 Q May I just see this a minute, Doctor?

5 A Yes.

6 Q Does not this article indicate that a child after
7 four months or perhaps earlier is going to ventilate normally;
8 and if stresses or needs or additional oxygen occur, he will
9 ventilate from that point forward in a way that an older child
10 or even an adult would?

11 A I earlier said, sir, that you cannot draw conclusions
12 as a scientist unless one reads the entire paper and recalls
13 it and analyzes it.

14 I immediately looked at the first line and the
15 method and it says these children, seven of them, were only
16 studied up to four months of age. I don't even know the
17 variable, whether it was hypoxia, carbon dioxide, scratching
18 of the feet or whatever, so I can't give you an answer based
19 on one paragraph, number two underlined here.

20 Q So do I understand from your answer that it is
21 important to read the entire documentation in order to form
22 a conclusion and evaluate it?

23 A That is what I said.

24 Q Did you do that in connection with all information
25 available with respect to this accident in forming the

1 conclusion?

2 A I read all the documentation that was made available
3 to me in detail.

4 Q Did you ask if there was anything else or did you
5 take what was selectively given to you?

6 A Yes, I did ask if there was anything that was
7 available and I was told this was what was currently available.

8 Q Let me understand.

9 You were told the only thing that was available
10 was the collateral accident report?

11 A That is correct, sir.

12 Q You were not told the official accident report was
13 available?

14 A I was not told, sir.

15 Q Were you told that there were depositions of the
16 various persons whose statements you had read from the collat-
17 eral report also available?

18 A I was not told, sir, except for Col. Rayman and
19 Dr. Brook.

20 Q Were you told that Captain Aune's deposition was
21 available?

22 A No.

23 Q Captain Wirtz, Captain Hart, and Major Traynor's
24 depositions were available?

25 A I was told no depositions were available. I did

1 ask them if there was anything to which I could have access
2 in this trial, and I was told because of my position as a
3 rebuttal witness, that I would not have access to any informa-
4 tion that would be provided the court.

5 Q You were told you cannot be provided with informa-
6 tion as to what had transpired before the trial started?

7 A I don't recall, sir.

8 Q Doctor, you also said if I am correct that there
9 are two bases for your opinion: one is the hypoxia theory
10 and the second one is some sort of trauma in connection with
11 the landing; is that correct?

12 A That is correct.

13 Q Those are the only two bases for causation that you
14 have considered?

15 A Other factors have been considered and, in my mind,
16 ruled out.

17 Q All right, sir.

18 With respect to the landing and the position of the
19 children in the troop compartment, were you given the dimen-
20 sions of the C-5-A such as has been indicated on Exhibit
21 D-4 in evidence?

22 A I had not been given this, but I did see a diagram
23 of the C-5-A on March the 7th.

24 Q All right.

25 Would you assume for me that the distance between

1 the lower deck of the troop compartment and the bottom of the
2 aircraft is the 15 or 14 feet indicated plus the additional
3 5 or 6 feet for structure?

4 A Yes.

5 Q Would you assume for me that that is approximately
6 20 feet or approximately a two-story building in space and
7 structure between the troop compartment and the bottom of the
8 aircraft?

9 A Approximately.

10 Q Can you tell me whether that amount of structure
11 would constitute a structure which would absorb a substantial
12 amount of landing forces or G-forces before you would neces-
13 sarily have damage to the troop compartment?

14 A The distance between could, under certain crash
15 conditions, lead to an absorption of force to some degree.

16 Q You mentioned I believe in your direct testimony
17 and in your qualifying testimony that you had participated
18 in some testing with respect to seat restraints or restraint
19 belts for infants; did I understand that correctly?

20 A Yes, it was conducted under my supervision.

21 Q Was that while you were at CAMI?

22 A Yes.

23 Q Civil Aero Medical Institute?

24 A Yes.

25 Q Do you recall whether the child restraint systems

1 that were utilized included rearward-facing seats?

2 A The only rearward-facing studies involved rearward-
3 facing infant seats, since air carrier aircraft are not rear-
4 ward-facing except in a few remnants of the old turboprop
5 fleet.

6 Q In the course of your participation in that study,
7 did you have an opportunity to assess the relative absorption
8 capabilities of a rearward-facing seat as opposed to a forward-
9 facing seat and a harness so far as an infant might be con-
10 cerned?

11 A Only with respect to the seats that we were testing,
12 specifically the G.M. loveseat and the MOPAR seat and a few
13 of the other commercial models, but not the full airline
14 seats.

15 Q Have you ever participated or been part of any
16 studies or publications that dealt with the description of
17 rearward-facing seats and the relative ability to accept and
18 handle deceleration forces?

19 A I have read several papers in the area as well as
20 seeing movies. I recall two research films comparing the
21 direction of seats.

22 Q I had previously referred you to, and I think you
23 still have it, your publication in the Encyclopedia Britanica
24 as to aerospace medicine?

25 A Yes.

1 Q If you would, would you take a look at page 143?

2 A Yes.

3 Q In the lower right-hand corner of the page is a
4 margin note "Effects of Acceleration."

5 Do you see that?

6 A Yes.

7 Q Now in the last paragraph beginning at the bottom
8 of the page it refers to transversing G or acceleration forces
9 applied at right angles to the body bed; does that mean right
10 angle this way, front to back (indicating)?

11 A Yes.

12 Q Or back to front (indicating)?

13 A Yes, either direction.

14 Q Did you not state therein that such Gs are tolerated
15 up to 20 Gs for several seconds this way (indicating) through
16 the front?

17 A Yes.

18 Q Now, Doctor, are you familiar with the volume called
19 "Aerospace Medicine"?

20 A Well, there is a journal "Aerospace Medicine" and
21 there is also a book that was edited by Dr. Randel. Which
22 one would you be referring to?

23 Q The book edited by Dr. Randel. You are familiar
24 with that?

25 A Yes, sir.

1 Q Is that an authority --

2 MR. LEWIS: Would you show me the part that you
3 wish to refer to?

4 MR. DUBUC: I haven't reached it yet. I will be
5 glad to.

6 THE COURT: Let it come out, Mr. Lewis. Usually
7 it will. Let it come out without asking for it.

8 MR. DUBUC: It would be page 124, Your Honor.

9 BY MR. DUBUC:

10 Q Is that an authoritative document in the field of
11 aerospace medicine?

12 A You asked me a difficult question because I tried
13 to memorize that textbook in studying for my boards and that
14 was in 1968. A physician would be remiss to admit nothing
15 has progressed. In fact, the book has been rewritten at this
16 time to make a couple of changes.

17 Q Page 124 under "Deceleration Impact and Blast."
18 There is a sentence referring to rearward-facing seats which
19 states, "Simulation of aircrash impact with occupants seated
20 facing backwards was also accomplished on the swing seat
21 twenty-three hundred fifty A. G., forty-seven hundred fifty
22 pounds, 28 to 30 G without injury."

23 My question is are you familiar with those tests
24 and do you agree that it is possible in rearward-facing seats
25 to have forces between 28 and 30 Gs without injury?

1 A This, by the way, is used by one of our professors
2 as an illustration of the importance of not considering the
3 G as much as considering the rate of onset of G, namely the
4 jolt, as we call it, or as Col. Stapp in his famous slide
5 work called it -- down home it is now referred to as the
6 unit called "Stapp."

7 I can apply G to an individual for several seconds
8 as I stated at 20 G, but if I apply that at an extremely
9 rapid rate in order to reach the 20 G, the effect could be
10 devastating on the body.

11 Body organs are displaced inside the body and torn
12 from their attachments and bleeding occurs and so on. Con-
13 sequently, one must assume when reading this paragraph, again
14 back into context, that these individuals were brought up to
15 the G-level rather slowly and then sustained there for a period
16 of time and then brought down to a G-level. The same thing
17 applies to fighter aircraft. We have an aircraft that could
18 be tolerated up to 9 Gs now for fighting for greater periods
19 of time. I am talking about a minute or so, whereas tolerance
20 for head-to-toe G is only 4 1/2 G, and as a most physically
21 fit individual, you can double that.

22 On the other hand, if I banged him up to that G-leve
23 or jolted him, he wouldn't tolerate it. His organs would tear
24 apart.

25 Q In the hypothetical question that Mr. Lewis asked

1 you, he asked you to assume, I recall, that this aircraft
2 originally impacted the first time at 270 knots and that it
3 impacted the second time at the same altitude at 270 knots
4 and I believe you have written in your summary report as to
5 Captain Traynor, in your review of the collateral report,
6 that he had reported a descent rate of 500 to 600 feet per
7 minute with respect to the first impact.

8 Now considering those two factors that there was
9 very little forward speed deceleration as to the first impact.
10 in fact none as far as airspeed was concerned, and a descent
11 rate reported of 500 to 600 feet a minute, in your opinion
12 would that first impact be a very hard G landing, the first
13 impact?

14 A Could you tell me which vector they are landing in?
15 We are talking about a gliding strike or a vertical strike?

16 Q As you have indicated in your summary of what you
17 read, the aircraft touched down at 16:30 local on its main
18 landing gear in a marshy area in use as a rice paddy approxim-
19 ately two miles northeast of the runway. The aircraft was in
20 a slightly left wing, low left flight attitude with an air
21 speed of about 269 knots. It rolled and skidded along the
22 ground for approximately a thousand feet and became airborne.
23 The aircraft continued a flight across the river.

24 In another portion of your report you referred to
25 Capt. Traynor's statement that the descent rate just prior to

1 that impact was 500 to 600 feet a minute, and we are talking
2 about a marshy rice paddy with very little decrease in air
3 speed.

4 A Well, a fall of 500 feet per minute would have to
5 be converted over. You are at 880 feet per minute at 60 miles
6 per hour, I believe, so that was a fairly substantial vertical
7 impact or jolt.

8 Q All right.

9 Did you have an opportunity to look at the airborne
10 photographs of the accident site which showed low skidmarks
11 by water?

12 A Yes, I did.

13 Q Did you take into consideration the distances that
14 those various components had traveled or skidded?

15 A Yes.

16 Q In fact your own summary indicates the second impact
17 was on the western bank of the river at which time the air-
18 craft skidded and began to tear and shred apart?

19 A Yes.

20 Q That was not a terminal deceleration with this
21 skidding on?

22 A Well, again, it would depend upon the vector that
23 is involved. Let us say part of the aircraft was spinning,
24 say the crew compartment or the troop compartment was spinning
25 as it passed along the path. It was --

1 Q Excuse me.

2 I'm going to hand you back your summary of what you
3 reviewed. I would like you to find somewhere in there that
4 says the troop compartment was spinning.

5 A I would like to find somewhere where it says it
6 is not. All I am saying is it depends upon the vector
7 involved. We have a vertical deceleration and a forward
8 deceleration and I just added the three plane movements.

9 Q Do you recall hearing or reading anything saying
10 that the troop compartment was spinning?

11 A I do not recall seeing anything to that effect.

12 Q Doctor, I would like you to assume that the average
13 G-forces on the troop compartment following the second land-
14 ing were 1.6 Gs during a period of travel from the point of
15 impact to the place where it stopped, 2,012 feet from the
16 point of impact with slide marks behind it from point of impact
17 to point of stoppage.

18 I want you to assume that the Gs computed on that
19 slide with respect to deceleration was 1.6 Gs and that the
20 children in the troop compartment were seated in rearward-
21 facing seats in the troop compartment with seatbelts and
22 pillows and remained in their seats, with the exception of
23 one child that was found under the seat, and those children
24 were observed by attendants in the troop compartment before
25 and after the accident as remaining in their seats as before.

1 I will ask you, Doctor, in your opinion, considering
2 the distance and time that would be involved in the decelera-
3 tion and the Gs of 1.6, average Gs during the course of
4 deceleration whether that amount of force could precipitate
5 any serious injury?

6 THE COURT: Before you answer that, Doctor, I have
7 an objection.

8 MR. LEWIS: Your Honor, it doesn't take into con-
9 sideration the casualties in the troop compartment.

10 THE COURT: You just state your own hypothesis
11 when you have him back in this kind of situation. I have
12 suggested it before. That is a standing order.

13 MR. LEWIS: I understand.

14 THE WITNESS: You are asking for a simple answer
15 to a very complex question.

16 This is analogous to an individual hitting a concrete
17 abutment with a car and then gradually skidding along the
18 pavement and gradually slowing down.

19 You said over the period of time from impact to the
20 eventual stopping of the troop compartment, we had an average
21 of 1.6 Gs; yet the critical component was not given, that is
22 the peak sustained and rate of onset of that peak G, and I
23 can't give you an answer to that effect.

24 We know that the airplane tore horribly apart and
25 there must have been some jolt sustained by the occupants.

1 BY MR. DUBUC:

2 Q Doctor, would you assume in your answer then also
3 that the average G load on the cargo compartment which was
4 under the troop compartment and which you previously told us
5 would be a structure which could absorb a substantial amount
6 of energy, that G load was approximately 3.67?

7 A Well, the Gs that were sustained were enough to
8 tear people's limbs apart and tear their heads off.

9 Q In the cargo compartment?

10 A In the cargo compartment. I don't know of any
11 Gs at 3.6 that --

12 When going through the airport tonight, if we
13 struck another cab, we would certainly be above 3.6. The
14 emergency landing indicators on aircraft peak out to give
15 the warning system that an aircraft has crashed at 5 1/2 to
16 7 Gs, so-called ELT. 3.6 is a very, very soft G. Again it
17 depends how we apply it, how rapidly it comes on and how much
18 is involved in the peak.

19 Q Are you an aeronautical engineer, sir?

20 A I'm not an aeronautical engineer by profession.

21 Q You have told me you were in the Air Force. Did
22 you participate in flight operations in the Air Force?

23 A Yes, both the Canadian Air Force and the United
24 States Air Force -- not as a pilot.

25 Q Not as a pilot. As what?

1 A Flight observer or additional crew member as the
2 term is.

3 Q Now, if I told you to assume that the pilots of
4 this airplane, the pilot and copilot, had both described the
5 first landing -- as had one or two of the air nurses in the
6 troop compartment -- as no harder than some of the other land-
7 ings they had made on runways; would that have any effect on
8 your opinion as to the force of the first landing?

9 A It could have effected it, but in reading over the
10 transcript not too many described the impact forces involved
11 in this and, consequently, I would have to go back and ask
12 for further opinions.

13 MR. DUBUC: Excuse me just a minute, Your Honor?

14 THE COURT: Surely.

15 BY MR. DUBUC:

16 Q Did you not report in your notes from your own
17 review of the collateral investigation that Captain Traynor
18 stated that the first impact did not seem severe and the
19 aircraft became airborne again?

20 A This is correct, but the first impact was not the
21 impact that was involved in tearing the airplane apart.

22 Q I was just asking you about the first impact.

23 A Yes.

24 Q I thought you told us previously that would have
25 been a pretty severe jolt even though he described the rate

1 of descent as 500 to 600 feet a minute?

2 A 500 to 600 feet a minute is a fairly severe jolt.

3 Q Would 500 to 600 feet a minute related to the 60
4 miles per hour be 5,280 feet per minute --

5 A I gathered somebody would do that calculation. I
6 may not have been correct in that.

7 Q Would that amount to approximately 6.8 miles per
8 hour?

9 I will show you the calculation.

10 A I would prefer to go to seconds which is the standard
11 we use. 6.8 miles per hour and that should be converted over
12 to seconds to work out the G load. We could determine what
13 equivalent it would be to jumping off a table or let us say
14 a high ladder.

15 Q Okay.

16 Did you also report as one of the things you noticed
17 in reviewing the collateral report that the troop compartment
18 in its entirety came to rest in its upright position reason-
19 ably well intact, both inside and out, after skidding over
20 a thousand feet and there was no fire? Was that one of your
21 observations?

22 A It was a "out of the report."

23 Q You were quoting what you thought was significant?

24 A I was excerpting with minor grammatical changes
25 for readability.

1 Q Did you also note in reviewing that report, and
2 did you not state in your summary, did you not make a state-
3 ment to the effect that Captain Traynor had received an
4 award for his performance of duty in connection with this
5 accident?

6 MR. LEWIS: Objection.

7 THE COURT: I ruled on that sometime ago, Mr. Dubuc.

8 MR. DUBUC: May I approach the bench, Your Honor?

9 THE COURT: No. Go ahead with something else. We
10 will take care of it later. .

11 BY MR. DUBUC:

12 Q With respect to the copilot, did you not review
13 his statement which was taken shortly after the accident?

14 A Yes.

15 Q Did you record in your notes that he "describes
16 a relatively smooth initial impact"?

17 A I would have to see it. If you are reading it to
18 me, I accept it.

19 Q You don't recall it?

20 A I believe that is a 14-15 page report. That was
21 dictated.

22 Q You don't have a copy of it?

23 A I have a copy in the witness room, sir.

24 Q Oh, I see.

25 Okay.

1 Doctor, if there had been some kind of hypoxic or
2 traumatic brain injury with respect to Michael Schneider in
3 this case, would you have expected a neurological examination
4 to reveal some evidence of that?

5 A Yes.

6 Q And are you aware that a neurological examination
7 was made as to Michael Schneider two days after the accident?

8 In other words, he was back on another airplane the
9 next day after this accident and he was in San Francisco on
10 April 6 where a neurological examination was performed; are
11 you aware of that?

12 A I don't recall specifically. I assume performed
13 by a neurologist.

14 Q Do you recall whether among the selected documents
15 you looked at, you looked at Exhibit D-24, the hospital
16 record from the Presidio?

17 A I believe that there were materials like this in
18 the package, but I do not recall the specific pages. Again,
19 it is very difficult for me when I go through hospital records
20 day after day to recall specific pages.

21 Q Well, take a look at the page which is physically
22 page 7, and it starts at the top with an entry of "4-6-75;
23 April 6, 1975," where it says "Generally active boy" and so
24 on? Have you seen that page before?

25 A I don't recall, sir.

1 Q There is an entry on that page toward the bottom.
2 This is Exhibit 24 in evidence which reads, "Neuro C. N. XII,
3 N. L." -- within normal limits.

4 "Sensory reaction: normal limits" indicating a
5 neurological examination.

6 In your opinion if there had been some --

7 MR. LEWIS: Counsel is testifying.

8 MR. DUBUC: No. I am reading from an exhibit, Your
9 Honor.

10 THE COURT: Objection overruled.

11 BY MR. DUBUC:

12 Q In connection with such a neurological examination,
13 would you have expected that there would have been something
14 abnormal, something which would have indicated some result
15 of brain damage as you suggested it, either in connection with
16 hypoxia or the landing incident as to Michael Schneider in
17 that neurological examination?

18 A I can only speak from a general practitioner,
19 family physician standpoint, and that is when you conduct in
20 the way of a general physical examination, a neurological
21 examination, it is usually quite superficial.

22 I cannot answer that question.

23 Q You don't know one way or another whether this one
24 was?

25 A I can't say so.

1 Q Have you ever been shown Exhibit DD-47 for identification
2 which is also a Presidio document and a discharge release
3 form for Michael Schneider who was then known as Hguyen Phi
4 Khan?

5 A I don't recall seeing this, sir.

6 Q That indicates that there was a discharge of physical
7 examination given by Doctor --

8 THE COURT: He said he hadn't seen it.

9 MR. DUBUC: Okay.

10 BY MR. DUBUC:

11 Q I had thought I heard when you were giving your
12 preliminary remarks that you had reviewed the medical records
13 of Michael Schneider; is that correct?

14 A I have, but I can't say whether or not I have viewed
15 specific pages. The materials that were provided to me were
16 almost two inches thick. Since they were provided to me, I
17 have reviewed thousands of pages of hospital documents as part
18 of a study. So, consequently, I only had to draw certain
19 conclusions from viewing, particularly the later on studies,
20 the ones that have been provided by neurologists in the past
21 months in terms of studying Michael Schneider.

1 Q You mentioned--

2 A The records you are showing me are medically very
3 superficial. They are really just screening records.

4 Q This record is a record two days after the accident.

5 In your experience as an accident investigator,
6 aren't records shortly after an accident significant in
7 determining causation, medical problems, and so on?

8 A Yes, but that is not an accident-oriented record.
9 In other words, I looked at that record to see if there was
10 any record of head trauma. I expected any physician who was
11 writing there to say: No evidence of hematoma, ecchymosis or
12 contusions or abrasions.

13 THE COURT: I interdicted your showing this docu-
14 ment. He has apparently seen enough of it so you can give it
15 back to him and he can examine it.

16 MR. DUBUC: I was just going to try it again, Your
17 Honor.

18 BY MR. DUBUC:

19 Q Looking at DD 47 for identification, this is the
20 discharge summary. Apparently you hadn't looked at it enough
21 to know whether there had been an examination by a physician;
22 is that correct?

23 A That is correct.

24 Q And the examining physician did note certain things
25 on his examination, did he not, such a boils on his skin?

1 A Yes.

2 Q And he noted that he smiles?

3 A Yes.

4 Q And he noted that he had conjunctivitis with
5 respect to his eyes?

6 A Yes.

7 Q And he noted as to the ears, the left ear had puss
8 in it?

9 A Yes.

10 Q And he noted that the lungs were clear and he
11 circled the "N" for the lungs, for "Normal"?

12 A Yes.

13 Q He noted the heart was normal and he circled the
14 "N" for heart, "Normal"?

15 A Yes.

16 Q He examined the head with no entry and he circled
17 the "N" for "Normal," did he not?

18 A Yes.

19 Q So he did look at his head and didn't find anything
20 in the head that would have been reported by a physician
21 making such an examination; is that a fair conclusion?

22 A I have seen things like this missed after an
23 accident, and I have missed them myself.

24 Q He is very specific about a lot of rather detailed
25 physician observations; is he not?

3 1 A I considered this form only a screening form, sir.
2 It is not a very well put together form.

3 Q What is the basis for your opinion that this is
4 only a screening form.

5 Do you have any particular experience with this
6 particular medical facility?

7 A No, I have not..

8 MR. LEWIS: What was the number of the last exhibit
9 for identification that you referred to?

10 MR. DUBUC: DD 47, Your Honor.

11 MR. LEWIS: Thank you.

12 BY MR. DUBUC:

13 Q Doctor, I am going to show you some other medical
14 records. I am wondering if you looked at these, any of these,
15 in the course of formulating your opinion: Denver Hospital
16 record, DD 102; Lutheran Medical records, DD 113, DD 118,
17 DD 116, DD 119, DD 122, the Lakewood Otolaryngological Clinic,
18 DD 126, and the John F. Kennedy Child Development Center,
19 DD 58?

20 Can you tell me if you looked at these? I know you
21 said you looked at the later ones. Most of these are within
22 a month or two after the accident and hospitalizations.

23 [Exhibits handed to witness.]

24 THE WITNESS: I definitely recall DD 102, and DD 118
25 Also DD 122, DD 126 and DD 58.

1 BY MR. DUBUC:

2 Q All right.

3 These were within the last couple of weeks; is that
4 correct?

5 A Approximately three weeks ago.

6 Q Then I think you mentioned that you had relied to
7 a great extent on some recent neurological reports by exam-
8 ining physicians; is that correct?

9 A Yes.

10 Q Was one of those Dr. Schuelein's report?

11 A I believe so, sir.

12 Q Did you look at her report as to Michael Schneider
13 dated December 27, 1978?

14 [Document handed to witness.]

15 THE WITNESS: Yes, I did.

16 BY MR. DUBUC:

17 Q Is that the one you referred to, or did you look at
18 another neurological report?

19 A I cannot recall whether there were other reports
20 written by Dr. Schuelein, but I recall in the third line her
21 statement, "Occasional temper tantrum."

22 Q That was a report by the parent?

23 A This is signed by Dr. Schuelein.

24 Q All right.

25 A And the words "Overactive," and "abnormal," under-

1 lined.

2 Q I see that.

3 Isn't it a fact that in this report, Dr. Schuelein
4 stated, "Neurological examination today was unremarkable with
5 the exception of his activity"? Doesn't that mean that there
6 were no abnormal neurological signs except for over-activity?

7 A But Dr. Schuelein also qualified her statement by
8 saying, "However, it was late in the afternoon. He had been
9 through a lot of tests," et cetera, et cetera, et cetera.

10 Q Maybe that is why he was over-active?

11 A An over-active child, as I understand, neurologi-
12 ally, although I am not a neurologist, can be considered
13 abnormal.

14 Q Over-active or is there some other name for it?

15 A Well, there is hyperactive, the hyperactive child.
16 There is "over" and "hyper." Whatever terms are appropriate.

17 Q Do you understand that "overactive" is a specific
18 neurological or psychological diagnosis of anything?

19 A I am not too qualified to answer that.

20 Q I thought in your answer you were suggesting that
21 over-activity was within your particular expertise as far as
22 this report was concerned.

23 A When I see the term how "he did indeed seem quite
24 over-active written by a person of reputable qualifications
25 as Dr. Marian Schuelein, particularly in the condensed style

1 that she is writing this brief letter, I would consider her
2 as indicating that there is a problem. This is the way we
3 write at the Cleveland Clinic and the way we were asked to
4 write.

5 Q Would you agree with me that by stating other than
6 his physical activity, she stated her neurological examina-
7 tion was unremarkable and normal?

8 A Only if we take it in the light of the fact that
9 she was making that statement with qualification.

10 Q Have you had any occasion to find, in connection
11 with your activities and duties prior to this case, have you
12 ever run into a specific case where you had over-activity
13 as the diagnosis, the resulting cause from hypoxia?

14 A Yes.

15 Q Who was that?

16 A My own child, sir.

17 Q I beg your pardon?

18 A My own child, sir.

19 Q I see.

20 In connection with airplane hypoxia, or otherwise?

21 A Respiratory arrest after birth.

22 Q This is in connection with delivery; is that right?

23 A Yes.

24 Q That would have been anoxia, would it not?

25 A No, it wasn't, sir.

8 1 that she can't read it in essence because of the fact that he
2 is moving about and there is no sleep tracing on Michael
3 Schneider.

4 Q She indicates that what there is, is normal, does
5 she not?

6 A No. It is like trying to take blood pressure with-
7 out a stethoscope.

8 Q Did she say that the electroencephalogram is
9 extremely brief, but what there is is normal?

10 A Yes, but that is another qualification statement.

11 Q Did you discuss that with Dr. Schuelein?

12 A No. I haven't.

13 Q What is the basis for your reading in that quali-
14 fication?

15 A Well, in medicine, when we write reports like this,
16 we will throw in qualifications to indicate to the reader
17 that we have taken things and attempted to interpret them
18 under less than ideal conditions so there is room, signifi-
19 cant room, for error. And this is just the way that
20 physicians talk to each other in providing reports.

21 Q Had you reviewed any of the other of Dr. Schuelein's
22 report, other than these two?

23 A I can't recall, sir. I would have to see the
24 reports.

25 Q All right, sir.

1 Q Other than that, have you had in the case of your
2 aerospace duties come up with a single diagnosis of over-
3 activity as describing a substantial neurological sign result-
4 ing from hypoxia?

5 A In the aviation world, we have not had the exposure
6 of children to hypoxia. This is a very strange situation.

7 Q How about adults?

8 A In adults one would not normally see the period of
9 over-activity except in those individuals and I have seen
10 three of these people who have suffered profound hypoxia
11 consequent to exposure to drowning in one case and carbon
12 monoxide in the other two cases, which would be somewhat
13 analogous to the hypoxic situation.

14 Q Drowning is a period of time under water with no
15 oxygen?

16 A No oxygen, although to a degree in both these cases,
17 there was body cooling, which saved the body from some of the
18 ragged effects of lack of oxygen.

19 Q Did you also see Dr. Schuelein's report as to a
20 readout of an electroencephalogram as being normal?

21 A I don't recall seeing that report.

22 Q You didn't see that report?

23 A I may have seen it, sir.

24 Oh, yes, I recall now.

25 This is interesting, because she is really saying

1 Doctor, this morning or this afternoon,--I thought
2 it was this morning--I thought I heard you say something
3 about the Venturi effect with respect to the opening of this
4 airplane and in some way the Venturi effect increased the
5 cabin altitude of the airplane.

6 I thought I heard you say it was two or three
7 thousand feet.

8 Am I mistaken?

9 A I said it could be as much as 2,000 or 3,000 feet.

10 Q I understand you base that upon some report you
11 read of a Sabre line airplane that had a side window come out?

12 A Side door.

13 Q On the Sabre airplane, was there any other damage,
14 such as windshield damage or any other opening other than the
15 side door?

16 A To my knowledge, no.

17 Q Is that the only basis for your opinion as to
18 Venturi?

19 A Since 1958, I have been teaching altitude
20 physiology and I have heard many, many reports and
21 reports, and I believe one was in the Royal Canadian Air
22 Force Bulletin in 1959, and I kept that for some period and
23 someone else has it now. I believe I loaned it as an
24 incident in which blowing off the canopy in a jet aircraft
25 can markedly reduce the altitudes; in other words, we warn our

0 1 pilots to watch out. If you are at altitude, you better make
2 sure that your emergency oxygen supply is on before you blow
3 the canopy.

4 Q When you blow the canopy of a jet aircraft, that
5 is the window above the pilot where the pilot is sitting; if
6 the canopy goes, nothing is left in front of him?

7 A Yes, there is. .

8 Q The windshield?

9 A Yes, and up over him to a degree. We are talking
10 about the reduced side pressure associated with air flow.

11 Q In connection with the canopy you have great
12 pressure directly on the windshield in front and along the
13 side?

14 A Great pressure?

15 Q You have ram air pressure?

16 A Depends on the configuration of the windshield.
17 This is the reason we slope windshields, let us say, on the
18 B-1 bomber. It is very sloped so that the air can flow over
19 it and not ram pressure it.

20 Q I thought you described the canopy as rounded; I
21 thought I saw your hands move in that direction.

22 A Well, the ram air pressure would be occurring on
23 the tip of the nose, way out, 14, 20 feet ahead of the pilot.

24 Q In determining air flows on not only wings, but
25 fuselages of the airplanes, isn't it a fact that there are

11 certain aerodynamic coefficients which are measured, and they
12 differ from airplane-to-airplane design?

13 A Yes.

14 Q Would you agree with me that without knowing the
15 aerodynamic coefficients of pressure flowing around the fuse-
16 lage of a Sabre liner as opposed to a C5A, without knowing the
17 specific pressure coefficients and comparing the loss of a
18 side door on a Sabre liner compared to the loss of a rear
19 door on the side of the C5A airplane is perhaps making an
unacceptable direct, scientific comparison?

20 A No; it is not. It is not because, as you recall, it
21 was more of a speculative nature that I made the statement.
22 We have blown one door off the side of the Sabre liner, which
23 is a relatively small aircraft with a relatively small door.
24 But we are talking about a relatively large aircraft plus
25 two relatively large doors, I understand. So space versus
volume would have to enter into the calculations. We are
comparing two aircraft with different configurations; I agree
with you.

26 But the fact is, whether you are at the rear of a
27 truck or at the rear of an aircraft of this size going at some
28 270 knots, there is an area of negative pressure.

29 Q You mentioned calculations.

30 Did you make any as to the C5A as to the co-
efficients of pressure flows in the area of the rear cargo.

1 door?

2 A No, sir. The calculations I made were related to
3 time over the period, over which the decompression occurred.

4 Q You made none as to the Venturi effect?

5 A I did not.

6 Q Did you read any reports as to the C5A relevant to
7 testing of the airborne delivery system wherein the rear
8 door is open in flight at altitudes up to 20,000 feet?

9 A I attempted to obtain that information, but I under-
10 stand it is not available.

11 Q Have you ever been through a C5A?

12 A I have walked through one.

13 Q Have you ever been through one in flight?

14 A No, sir.

15 Q Have you ever been given any information that in
16 fact men stand on the rear door in open flight at 20,000 feet
17 in connection with unloading the cargo by parachute?

18 A I would assume so under the mode it is operated
19 under.

20 Q Did you review any specific information as to the
21 pressure coefficients as to the C5A air flow?

22 A No, sir.

23 Q From a scientific standpoint, wouldn't it be
24 necessary to have that kind of information to make other than
25 your speculation as you said your suggestion was this morning?

3 1 A Yes. I would like to run a model in a wind tunnel
2 in altitude with both doors off.

3 Q Rear?

4 A Some attempt has been made to see if this is
5 possible.

6 Q What would you expect the wind-tunnel test to show?

7 A The wind tunnel test, as I said, I would expect to
8 show that there is reduced pressure in the cabin versus the
9 static air pressure outside the aircraft.

10 Q Now, are you familiar enough with the C5A to know
11 that there are not only altimeters in it, but altitude
12 differential pressure gauges which measure altitude inside
13 and outside?

14 A Most aircraft have altitude pressure differential
15 gauges; that is correct.

16 Q Did you notice in the collateral accident report
17 any suggestion that the recorded altitudes and reports as
18 to instrumentation readings indicated any difference between
19 cabin altitude and differential pressure outside the air-
20 plane?

21 A I don't recall. Unless I missed it. I was certain-
22 ly looking for it.

23 Q But you did not read the official accident report?

24 A I did not read the official accident report.

1 Q now, with respect to decompression time, which I
2 think you mentioned this morning, you were talking about a
3 time between, I thought I heard 0.2 and 0.3 seconds?

4 A Yes.

5 Q The accident record says 0.3 seconds, does it
6 not?

7 A That is the total time of the decompression, sir.

8 Q I think you told us you were familiar enough with
9 CSA's, as far as compartmentalization was concerned, and you
10 pointed to that picture?

11 A Yes.

12 Q To your knowledge, in connection with your past
13 experience, have there been decompressions where there might
14 be a rapid decompression in one area, where there was perhaps
15 a slower or less rapid decompression in another area?

16 A This occurred on the DC-10 aircraft on three
17 occasions, sir, and unfortunately, in one of the occasions,
18 it resulted in one of the world's greatest air disasters.
19 Consequently all the aircraft, including the CSA's, are well
20 ventilated through the upper and lower decks, and I believe
21 that one of the counsel mentioned it this morning.

22 Q You mentioned the DC-10, and I guess you were
23 referring to the Paris accident?

24 A Yes.

25 Q Wasn't there also a DC-10 incident which did not

1 result in an accident involving a National Airlines flight
2 between Houston and San Francisco, in which you were involved
3 in some form of investigation?

4 A Yes. This is one of the DC-10's I was familiar
5 with. There you had the lower galley, elevators, and there
6 was also the one where a coffin went out over Windsor,
7 Ontario.

8 Q Was that the one where rapid decompression and
9 severe decompression was at one rate of speed in the lower
10 compartment, and it was at a slower and lesser speed in the
11 upper flight compartment's cabin?

12 A Which airline?

13 Q National Airlines.

14 A There was a small pressure differential between
15 the upper and lower, but it was extremely small. It was
16 enough to bend a thin tin wall.

17 Q Wasn't it described as a smaller and less severe
18 decompression in the passenger cabin and flight compartment
19 than the experience in the lower galley and cargo compart-
20 ment?

21 A This was speculated, but there were no pressure
22 measurements taken inside the cabin, so there is no way of
23 knowing. It is pure speculation.

24 Q I may be misreading this. I am referring to
25 oxygen equipment, and rapid decompression studies by the

1 Federal Civil Aeromedical institute in Oklahoma City in
2 March of 1979. At that time you were the Assistant to the
3 Surgeon General for Aeromedicine?

4 A Deputy.

5 Q Would these kinds of reports come across your desk
6 and be reviewed?

7 A Yes.

8 Q Do you recall reviewing this one?

9 A This -- first of all, I recall definitely receiving
10 this section, because it is an extract from the National
11 Transportation Safety Board report of this accident. It says,
12 "the slower and less severe decompression occurred in the
13 passenger cabin and flight compartment."

14 It doesn't say how much slower. It certainly was
15 a slow decompression to begin with, the total time of the
16 decompression was 26 seconds.

17 Q They describe it on the page before as a rapid and
18 severe decompression in the cargo compartment.

19 A They said, "a rapid and severe decompression
20 occurred in the galley."

21 Q Does it also say for the cargo compartment?

22 A There was differential loading to a degree.

23 Q So that can happen, can't it, Doctor?

24 A Yes, it can, but --

25 Q All right, thank you, Doctor.

1 MR. DUBUC: I have no further questions, Your
2 Honor.

3 THE COURT: Redirect.

4 MR. LEWIS: I just have two questions.

5 REDIRECT EXAMINATION

6 BY MR. LEWIS:

7 Q Doctor, can you tell me whether or not the DC-10
8 was notorious for not having an equalizing break between
9 the two sections?

10 A It was quite notorious for this problem, and both
11 situations, the forewarning situation over Windsor, Ontario,
12 with the rear cargo falling open, and the one over in Paris,
13 of course, were indicators that there was a serious problem
14 where the floor would crash downward due to difference in
15 pressure.

16 Q Is there anything comparable between the DC-10
17 situation and this airplane?

18 A Well, you will recall the DC-10 has elevators that
19 go up and down in some of the models, and the ventilation in
20 this aircraft between upper and lower decks is really ideal
21 for stairways, front and rear, and grating and so on. It
22 is just really a compartment up there that is well connected
23 to the lower compartment.

24 Q So is the C5A comparable to the DC-10 in that
25 respect?

1 A It now is to a degree because all DC-10's had to
2 be reconfigured, every DC-10, up to a certain point --
3 millions of dollars were spent in putting additional holes
4 in the floors, and so on.

5 Q Were those holes present in the three incidents
6 that you mentioned?

7 A No, they weren't.

8 Q Counsel asked you about Exhibit DD-47 for identi-
9 fication, which was the Presidio document. Did you see any-
10 thing in the Presidio document that indicated that the
11 examining physician knew the circumstances that this child
12 had gone through, that is to say, the description of the
13 airplane accident?

14 MR. DUBUC: Objection, Your Honor. He said he
15 hadn't seen it at all.

16 THE COURT: Well, he has seen it now.

17 Overruled.

18 BY MR. LEWIS:

19 Q Before I ask you that, would an examining physician
20 want to have, or need to have a history of this kind of
21 accident before he could make an adequate examination?

22 A The history in any medical examination is the most
23 important part of the examination.

24 You asked me if I saw anything in there. Could I
25 see it again, sir?

1 Q Yes.

2 (Document handed to the witness.)

3 MR. LEWIS: Counsel showed you 47.

4 MR. DUBUC: Which one?

5 MR. LEWIS: What was the other one?

6 MR. DUBUC: 47 and 24.

7 MR. LEWIS: Thank you.

8 BY MR. LEWIS:

9 Q Here is Exhibit 24. I believe you looked through
10 that, too?

11 (Document handed to the witness.)

12 THE WITNESS: Again, of course, this is very
13 superficial. I say that again. I was asked why I didn't
14 pay very much attention to this, and why I didn't think it
15 was anything more than a screening exam.

16 When I see a form at the top that describes a
17 child in terms of good, fair and terrible, it immediately
18 turns me off.

19 BY MR. LEWIS:

20 Q Is that good medical terminology?

21 A It is not good medical terminology at all. It
22 looks as if it was put together by a medical student for
23 the purpose of processing these children through.

24 Q Do those documents, from your review of them, and
25 I realize it is brief, on the stand, showing the complete

1 history, or any history of this airplane accident was known
2 to the examining doctor?

3 A No, I have not seen anything.

4 MR. LEWIS: Thank you, Your Honor.

5 Those are all the questions I have.

6 THE COURT: Mr. Dubuc?

7 FURTHER CROSS EXAMINATION

8 BY MR. DUBUC:

9 Q Doctor, you had not seen that Presidio document
10 before today, is that correct?

11 A I mentioned to you, sir, that I do not recall
12 seeing it.

13 Q You mentioned you reviewed, I think you said,
14 thousands of other documents, between the time you started
15 working on this case, and today?

16 A I reviewed thousands of pages.

17 THE COURT: There is an objection.

18 MR. LEWIS: This exceeds redirect.

19 THE COURT: Overruled.

20 BY MR. DUBUC:

21 Q Thousands of pages on this case, or other cases?

22 A Not in this case, other cases not involved in this
23 case. I am involved in some other matters that take me out
24 of this case.

25 Q Can you tell us how much time you spent on this

1 case in the months that you have been on it?

2 THE COURT: That is objectionable. That is out-
3 side the scope of redirect.

4 MR. DUBUC: Thank you.

5 MR. LEWIS: May the witness be excused?

6 THE COURT: I would like to excuse the jury
7 briefly.

8 (Jury leaves.)

9 THE COURT: Doctor, at the end of Mr. Lewis'
10 direct examination, he asked you some questions about the
11 G forces, and I sustained Mr. Dubuc's objection to that,
12 and then Mr. Dubuc opened that up again.

13 Just for my own information about it, can you
14 explain this to me? I gather it is difficult to calculate
15 the G forces into the circumstances of this accident. Can
16 you tell me why?

17 THE WITNESS: If you take an instrument called a
18 G-meter, they only measure the direction in which they are
19 aligned. Let us say we had a G-meter pointed in the fore-
20 aft direction in which this aircraft was coming in and
21 impacted, and so on. It would record a number of jiggles
22 on the graph, and the jiggles going up and down indicate
23 the level of G being sustained.

24 The top of each jiggle, or each spike, is the
25 peak G sustained and the curve upwards is the G as it onsets

1 over a period of time, so we are really doing something like
2 accelerated G, and this is called a jolt. This is considered
3 vital to determining how much effect there is on body
4 structures.

5 Now, what we do in aircraft accidents all too often
6 is say, well, there is an average G sustained, and what they
7 do is take all the jiggles over a period of time from the
8 start to the stop, and they measure the area under the
9 curve and bring it down to an average level of G, so this
10 explains why you have to consider G not only in the direction,
11 the magnitude, the rate of onset, and the area of the body
12 that is actually being subjected to G, it is a classic Board
13 examination question.

14 THE COURT: Well, one version of this incident
15 contemplates that the first impact was inconsequential in so-
16 far as the effect on the G was concerned. And at the second
17 impact the plane with the wheels gone, hit on the cargo
18 part, skidded some 800-odd feet, and then in the course of
19 that, the underpart sort of shredded, it was sort of an
20 explosive shredding, but it shredded, and then they have got
21 a picture of the troop compartment going straight ahead,
22 being launched, so to speak, off the cargo compartment,
23 which is slowing down, decelerating more rapidly than the
24 troop compartment is decelerating.

25 Have you speculated, or thought about that, or

1 tried to make a calculation of that?

2 THE WITNESS: We are presently, as I mentioned,
3 the three scientists who are involved, aside from me -- are
4 closely looking at the dynamics involved in this, and they
5 feel that by looking at certain bolts sheared on seats, the
6 seats are stressed to 19 G, and the dynamic mode, FAA tests,
7 and what we call the static mode; consequently if we see
8 sheared seat attachments, it is probable that the peak G
9 loading on those seats exceeded 19 G. This would give us
10 some idea -- in the fore-aft direction -- this should give
11 us some idea as to just what the dynamics involved were.

12 THE COURT: Thank you, Doctor.

13 MR. PATRICK: We would like to begin Doctor
14 Connors, who has been waiting here patiently for about three
15 days to go on the witness stand.

16 THE COURT: Fine.

17 (Witness excused.)

18 THE COURT: Bring back the jury.

19 (Jury enters.)

20 Whereupon,

21 CARMEN KEITH CONNERS

22 was called for examination by counsel for the Plaintiffs, and
23 having been first duly sworn, was examined and testified as
24 follows:

1 DIRECT EXAMINATION

2 BY MR. LEWIS:

3 Q Would you state your full name, please, sir?

4 A Carmen, C-A-R-M-E-N, Keith Conners.

5 Q What is your occupation or profession, sir?

6 A I am a Clinical Psychologist.

7 Q What are your current positions?

8 A I am Professor of Child Health and Human Develop-
9 ment at George Washington University. I am also a Professor
10 in the Departments of Neurology and Psychology at Children's
11 Hospital. I am Director of Research in the Department of
12 Psychiatry at Children's Hospital.13 Q Can you tell me whether or not you are involved in
14 grant reviews from the National Institute of Mental Health,
15 and other institutions?16 A Yes, I have been involved for many years as a
17 consultant to those parties, especially the National
18 Institute of Mental Health, as a grant reviewer on the
19 various committees.20 Q We have heard something about the Conners' Test.
21 Are you the Conners of the Conners' Test?

22 A I am afraid so, yes.

23 Q Would you describe your involvement with that
24 test?

25 A Well, it is basically a checklist of symptoms