

IN THE UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF COLUMBIA

**COPY**

# STENOGRAPHIC TRANSCRIPT

-----  
FRIENDS FOR ALL CHILDREN, INC., as  
legal guardian and next friend of the  
named 150 infant individuals, et al.,

Plaintiff,

vs- Civil Action No.  
76-0544

LOCKHEED AIRCRAFT CORPORATION,

Defendant and  
Third Party Plaintiff,

vs-

THE UNITED STATES OF AMERICA,

Third Party Defendant.

-----  
Arlington, Virginia

Thursday, January 7, 1982

DEPOSITION OF MELAND A. ATKINS

Legally Reporting, Inc.  
COURT REPORTERS  
200 First Street, N.W.  
Washington, D.C. 20004  
(202) 347-2332

IN THE UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF COLUMBIA

**COPY**

**FRIENDS FOR ALL CHILDREN, INC.**, as legal guardian and next friend of the named 150 infant individuals, et al.,

**Plaintiffs,**

-VB-

Civil Action No.  
76-0544

# LOCKHEED AIRCRAFT CORPORATION

**Defendant and  
Third Party Plaintiff,**

卷之三

THE UNITED STATES OF AMERICA,

**Third Party Defendant.**

**Arlington, Virginia**

Thursday, January 7, 1982

DEPOSITION OF ARLAND A. ATKINS, a witness herein  
called for examination by Counsel for the Plaintiffs in the  
above-entitled action, pursuant to notice, the witness being  
duly sworn by CLAIREEN M. HOLMES, a Notary Public in and for  
the Commonwealth of Virginia at Large, at the offices of  
Lewis, Wilson, Lewis & Jones, Ltd., 2054 North 14th Street  
Arlington, Virginia, commencing at 1:12 o'clock p.m., the  
proceedings being taken down by stenotype by CLAIREEN M.

1 HOLMES and transcribed under her direction.

2 APPEARANCES:

3 On behalf of the Plaintiffs:

4 MICHAEL J. McMANUS, ESQUIRE  
5 Lewis, Wilson, Lewis & Jones, Ltd.  
6 2054 North 14th Street  
7 P. O. Box 827  
8 Arlington, Virginia 22216

7 On behalf of the Defendant:

8 JOHN J. CONNORS, ESQUIRE  
9 Haight, Gardner, Poor & Havens  
1819 H Street, N. W.  
Washington, D. C. 20006

## CONTENTS

Deposition of Examination by Counsel  
Arland A. Atkins for Plaintiff for Defendant

## EXHIBITS

| <u>Plaintiffs'</u>            | <u>For Identification</u> |
|-------------------------------|---------------------------|
| No. 1 Atkins Curriculum Vitae | 8                         |
| No. 2 J. W. Edwards Report    | 45                        |
| No. 3 Picture                 | 75A                       |
| No. 4 Picture                 | 75A                       |
| No. 5 Picture                 | 75A                       |
| No. 6 Picture                 | 75A                       |
| No. 7 23 pages of notes       | 116                       |
| No. 8 Xerox of 17 photos      | 116                       |

## PROCEEDINGS

Whereupon,

ARLAND A. ATKINS

a witness herein, was called for examination by Counsel for the Plaintiffs, and upon being first duly sworn by the Notary Public, was examined and testified as follows:

**EXAMINATION BY COUNSEL FOR THE PLAINTIFFS**

BY MR. McMANUS:

Q. Could you state your name, sir?

A. Arland Atkins.

Q. And your business address?

A Itek Corporation, 10 Maquire Road, Lexington, Mass.

Q And do you have a profession?

A Yes.

## 8. What is that?

#### A. I am an Electro-Optics Engineer.

0 And what is that?

A. Basically at Itek I am manager of an Electro-Optics laboratory which handles imagery data in electronic form.

Q To a rather non-scientific person, that still  
doesn't mean a whole lot. Can you break that down even  
further, perhaps by giving me an example of what you might  
do?

1       A     Essentially, we take materials, be it photographic  
2       or electronic imagery, put them into a computer then use the  
3       computer to display the imagery on a television-like display  
4       which we term as soft-copy interactive display. We are able  
5       to interact with the display while we are viewing it.

6                   We then do various processing on the imagery  
7       including measurements and/or various types of filtering and  
8       standard -- what we call standard digital processing.

9       Q     Standard what processing?

10      A     Digital processing.

11      Q     And what is the purpose of all of that?

12      A     It's basically to extract maximum intelligence  
13       from a -- either an electronic or photographic image.

14      Q     Now, in your testimony you have mentioned Itek.  
15       What is Itek?

16      A     Itek basically is -- my division of Itek is the  
17       Optical Systems Division. We are basically in the field of  
18       aerial reconnaissance surveillance equipment. We build  
19       large camera systems that go in airborne sensors, mostly for  
20       the government for use mostly -- again, in military applica-  
21       tions although we've also built for mapping the moon for the  
22       Viking Lander on Mars we built the camera and the laser  
23       recording system that reconstructed the imagery for that

1 system. It's basically reconnaissance applications.

2 Q Is Itek's primary function in the development and  
3 production of this type of machinery or equipment?

4 A In the camera systems and the -- the camera systems  
5 and the systems required to view and utilize the imagery from  
6 the systems, yes.

7 Q I take it that there are more than one division of  
8 Itek?

9 A There are several divisions, yes.

10 Q And you are in the Electric-Optics laboratory?

11 A I am in the Itek Optical Systems which is a  
12 division of Itek and I am manager of the Electro-Optics  
13 laboratory.

14 Q And what is the primary function of Itek's Optic  
15 Systems, is that--

16 A Yes.

17 Q Is that the broad --

18 A Itek Optics -- Itek Optical Systems, right.

19 Q Right. So that is the general category that you  
20 fall under; is that correct?

21 A That's the division of Itek.

22 Q Division. Okay. What is the primary function of  
23 that division?

1       A    That is what I previously described. Itek in  
2       general has other more commercial activities outside of  
3       that division, eyeglasses, eyeglass frames and a lot of other  
4       -- photocopy machines, this type of thing but --

5       Q    Those are other divisions?

6       A    Those are other divisions outside of --

7       Q    How much or what percentage of Itek's Optical  
8       Systems Division work is associated with the government?

9       A    I don't know the exact, but a very high percentage.

10      Q    90 percent?

11      A    Probably more than 90 percent.

12      Q    Probably more. How long has Itek been in exis-  
13       tence?

14      A    Itek emerged during the World War II from Boston  
15       Research Laboratories. That's basically when Boston Univer-  
16       sity stopped that laboratory and formed Itek in, I believe,  
17       the early '50's.

18      Q    And the Optical Systems Division, how long has  
19       that been in existence?

20      A    That was the original division. That's basically  
21       the original company.

22      Q    Mr. Atkins, I show you what has been produced to  
23       us by counsel for Haight, Gardner, Poor & Havens and

1 represented to be your Curriculum Vitae. I'd like you to  
2 look at that and identify that, if you can.

3 A Yes. I presented that to them.

4 MR. McMANUS: I would like this marked as Atkins  
5 Number 1 and will make copies for you later on.

6 (The document referred to was  
7 marked as Atkin's Deposition  
8 Exhibit No. 1 for identifica-  
9 tion.)

10 BY MR. McMANUS:

11 Q Who prepared this CV, Atkins Number 1?

12 A Basically I prepared it.

13 Q Well, did you just give the information to someone  
14 or did you actually write it?

15 A I wrote parts -- most of it. Some of it was taken  
16 from the actual description of the Electro-Optics lab which  
17 we have a capabilities brochure at Itek. Some of that was  
18 taken from that and the rest of it I wrote.

19 Q Would it be fair to say that the portions that  
20 refer to you, Arland A. Atkins in the third person were not  
21 written by you and were taken from some other --

22 A No, it was --

23 Q -- publication?

1       A    No, it was written by me but this is a standard  
2       format that our company uses to go into proposals, basically.

3       Q    When did you receive your BA in Physics from the  
4       University of Vermont?

5       A    1962.

6       Q    And you have a Master's in Electrical Engineering?  
7       Is that what MSEE means?

8       A    Yes. Electrical Engineering and Electro-Optics in  
9       1971.

10      Q    And how long have you been -- could you give me  
11      your work experience from the time you graduated from the  
12      University of Vermont until the present?

13      A    I started work at the Radio Corporation of America  
14      in Hightstown, New Jersey designing and building basically  
15      television cameras for space shoes, TIROS which is a weather  
16      satellite. These are some cameras that are used on weather  
17      systems basically; where I had some experience in the test-  
18      ing and fabrication of camera equipment. I spent four years at  
19      RCA and then came to Itek. I've been at Itek for 15 years  
20      now.

21      Q    And how long have you been manager of the Optics  
22      Laboratory -- Electro-Optics Laboratory?

23      A    Since -- I believe it was May -- April or May of

1 1981.

2 Q And what are your day to day functions as manager  
3 of the Electro-Optics Laboratory?

4 A Basically the way the laboratory is set up we're  
5 set up as a service organization for the rest of the Itek  
6 Optical Systems Division where we provide data processing  
7 and basically in the form of image processing services for  
8 the rest of the company. Right now I am a fairly small  
9 organization so I handle the scheduling -- I have two  
10 computers, two large computers. I schedule, I maintain  
11 prototype software for the computers, generate new algorithms  
12 and functions for the computers.

13 I do actual image exploitation from the data that  
14 other people give me and essentially in charge with the  
15 growth of the laboratory in terms of what new functions will  
16 be added and the types of processing that go on and that we  
17 handle there.

18 Q So, you are basically a support branch for the  
19 rest of the company; is that right?

20 A Right now, yes.

21 Q How much commercial business do you do?

22 A We are currently in a -- in medical image process-  
23 ing. I am doing a little bit in that area. Percentage wise,

1 that is probably 10 percent or so at this point.

2 Q And what does the medical photo imagery deal with?

3 A Essentially what we're doing here is soft-copy  
4 processing systems lend themself to what we call real time  
5 processing, being able to take data into the system and view  
6 it in some form of process manner immediately. The imagery  
7 that we are working with in the medical field, we are build-  
8 ing equipment that will take the output from X-ray machines,  
9 real time fluroscopy so that the doctor can see while he is  
10 in the operating room, actually watch the functions, the  
11 internal functions to the body in an enhanced manner.

12 Q Is Itak in any way owned or associated with the  
13 Lockheed Aircraft Corporation or any of its many subsidiaries?

14 A Not to my knowledge.

15 Q How did you and Itak become involved with this  
16 particular case?

17 A My first contact was Robert Macomber of Aeroeco  
18 Corporation called into Itak in general, not to me specifi-  
19 cally. He called our Photo Science Department, told them  
20 what he was interested in, in the form -- some form of  
21 processing on imagery and they referred him to me and essen-  
22 tially that was the first --

23 Q And what was the gentleman's name again?

1 A Robert Macomber.

2 Q M-a-c-o-m-b-e or o-m-b?

3 A M-a-c-o-m-b-e-r, I believe.

4 Q He is with Aeroeco?

5 A Aeroeco.

6 Q How do you spell that?

7 A A-e-r-o-e-c-o -- yeah, e-c-o.

8 Q So, it is all one word?

9 A I think it is all one word.

10 Q Is there a hyphen or a slash between the Aero part  
11 and the eco part?

12 A I don't believe so. I'd have to look at the busi-  
13 ness card.

14 MR. CONNORS: For the record, you may not be aware  
15 of this, he is listed in our list of experts.

16 BY MR. McMANUS:

17 Q Who is Mr. Robert Macombe?

18 A I, essentially have been introduced to him as the  
19 President of Aeroeco which has some form of photo analysis  
20 type company who, to my knowledge, has been employed by  
21 Haight, Gardner.

22 Q Do you know, did Aeroeco do any work for Haight,  
23 Gardner in conjunction with the type of work that you have

1 been doing?

2 A They are working on the case, but as far as work-  
3 ing with me or all. it's just the initial contacts when Mr.  
4 Macomber brought me the original negative that I worked with.

5 Q Do you know, is Aeroeco owned by or in any way  
6 associated with Lockheed or any of its subsidiaries?

7 A I have no knowledge.

8 (Discussion off the record.)

9 THE WITNESS: I have no knowledge in that area.

10 BY MR. McMANUS:

11 Q Before Mr. Macombe --

12 MR. CONNORS: Macomber.

13 MR. McMANUS: Macomber? That's how he spelled it,  
14 but he pronounced it Macombe. Macomber -- strike that.

15 When was the first time you've ever met Mr.  
16 Macomber?

17 THE WITNESS: Late November of this year -- of  
18 1981.

19 BY MR. McMANUS:

20 Q And had you ever heard of him or Aeroeco before  
21 that time?

22 A No.

23 Q Do you know why Mr. Macomber referred the work to

1 you?

2       A    He told me when he first called that he was  
3 referred to Itek because we had worked on some processing  
4 for some films a number of years ago, basically the Kennedy  
5 Assassination Films.  We had done some processing --  
6 specialized processing on those films.

7       Q    Was that in conjunction with any litigation?

8       A    It was, I think, a part of the Warren Commission  
9 and I don't -- I really don't know the details.

10      Q    Were you involved in that?

11      A    Only on the peripheral at that point.

12      Q    When did Mr. Macomber provide you with negatives?

13      A    It was right around Thanksgiving time, just after  
14 Thanksgiving.

15      Q    Okay.  Do you recall how many he --

16      A    He -- his original contact, he came into Itek to  
17 view my system and view the capabilities and with him at  
18 that time he did bring a series of -- he had both prints and  
19 negatives of which he left me I think about nine.  I have  
20 the exact number in the receipt; but something in the order  
21 of nine.

22      Q    Do you have that receipt with you today?

23      MR. CONNORS:  Okay.  I have a problem in one

1 respect. At this point, Mr. Macomber was operating as a  
2 consultant to our firm for litigation purposes and I don't  
3 know to what extent this would fall under the work product.  
4 I am perfectly willing to give you the substantive informa-  
5 tion in this. Basically, it is a receipt signed by Mr.  
6 Atkins for some 35 millimeter negatives Numbered 18 to 21.  
7 Further, 35 millimeter negatives Numbered 8 through 12 and  
8 two and a quarter by three and a quarter film negatives,  
9 three in number. But they were unnumbered as such. That's  
10 basically the factual information that would come from that  
11 and it's dated 30 November, 1981.

12 MR. McMANUS: Well, we will ask for production of  
13 that.

14 MR. CONNORS: Well, as I said, I'm not sure the  
15 category this falls into. I certainly don't mind having the  
16 Court take a look and decide, but I'm not going to waive  
17 any work product exceptions at this point.

18 MR. McMANUS: I understand that. I'm just telling  
19 you that we are going to make a formal request for it at  
20 some point. And what is the date of that again, please.

21 MR. CONNORS: 30 November, 1981.

22 BY MR. McMANUS:

23 Q Mr. Atkins, does that date refresh your

1       recollection as to when you first had contact with Mr.

2       Macomber?

3       A       The first contact essentially was by telephone a  
4       few days -- now, the Thanksgiving holiday occurred in there,  
5       so it was like the week -- I think it was like the Friday  
6       before Thanksgiving, in that range.

7       Q       Had anyone else contacted you prior to Mr.  
8       Macomber's having contacted you about the work you have  
9       done in conjunction with this lawsuit?

10      A       No.

11      Q       So, he was the first contact you had?

12      A       Yes.

13      Q       When he brought you the negatives, what did he  
14       tell you he wanted you to do with them?

15      A       Essentially at this point we were to put them into  
16       the computer system and basically see what I could -- see  
17       what I might be able to do with them in terms of measurements,  
18       enhancements. Just the normal processing that I would do  
19       with imagery; what would the resultant pictures look like  
20       and we weren't -- we didn't really have a firm fixed set of  
21       tasks basically. It was just to digit scan, digitise them  
22       and put them into the system.

23      Q       Do you recall which or do you have any way of

identifying which negatives were first brought to you as reflected on that receipt?

A The negatives had numbers on the filmstrips themselves. At that point, I had no other reference other than the film numbers.

Q Do you know, are they --

MR. CONNORS: For the record, I think the numbering refers to the crayon numbering put on by your photographic expert onto the tissue slip of the Walker Three Negatives.

THE WITNESS: No. No. These numbers were the actual Kodak Frame Numbers on the negatives because we had no other way of identifying at that point. So, in order to have the receipt proper, that is the way we defined it. We later on did have the crayon envelopes that came with the receipt.

BY MR. McMANUS:

9. Are those negatives reflected in any of the slides that you have produced in conjunction with your work in this case?

At least parts of them, yes.

Q We are going to go through them later on. Do you think you would be able to identify those which are now given to us as your Exhibits?

1       A     In general, yes. On the early set of slides, they  
2       are numbered the same as the negative numbers. On the later  
3       set of slides, they are converted into a new set of numbers.

4       Q     Can you tell me what you did when you got those  
5       slides, the first batch from Mr. Macomber?

6       A     Well, essentially the first process in converting  
7       a piece of film into our -- into the computer is to put it  
8       through a scan and digitize process which we essentially  
9       convert the density position on the negative to a set of  
10       numbers. We essentially go in in XY fashion across the  
11       negative and precisely locate each point as we're moving in  
12       the two directions and at each point determine what the  
13       density value is, convert that density value to a digital  
14       number and that is what we store in the computer, a matrix  
15       so to speak. An XY coordinate system with a set of digital  
16       numbers attached to it.

17       Q     And what is the purpose for that?

18       A     That is so we can -- essentially, that is the only  
19       way that we can then read the images out onto our display  
20       system. We have to be able to read numbers. We can't read  
21       in terms of a density basically in the system.

22       Q     And what sort of information are you trying to get  
23       by going through this process?

1       A    At that point all we are trying to do is duplicate  
2       the information that occurs naturally in the negative.

3       Q    So, you are transferring the picture image just to  
4       a system of numbers in the computer?

5       A    Right.

6       Q    And that is the scanning and the digitizing pro-  
7       cess?

8       A    Scanning and digitizing. Right.

9       Q    And what happens next?

10      A    The -- once these are scanned and digitized they  
11      are -- the output of that is a computer tape, magnetic tape.  
12      We then take the magnetic tape, put it into the computer,  
13      read it in and I store the results and the set of numbers  
14      on a computer disc so that I can later recall the exact  
15      coordinates, the sets of numbers and be able to recall any  
16      portion of the image back to my soft-copy display system.

17      Q    And what is a soft-copy display system?

18      A    In this case, a soft-copy -- it's similar to a  
19      television system in which the numbers that the computer has  
20      stored are converted into brightness levels on the television  
21      monitor and the coordinates systems are maintained.

22      Q    So, in other words, once the picture has been  
23      scanned and digitized into a numbering process by the

1 computer the software -- what is it? Soft-copy.

2 A Soft-copy.

3 Q Soft-copy process is then taking those numbers and  
4 projecting them onto the screen so that you can see the  
5 picture --

6 A So that you can visually see the picture. Right.

7 Q And what is the purpose of that entire proceeding?

8 A In general, a photograph contains information that  
9 the visual system cannot see. Very subtle changes in density,  
10 contrast brightness, however you want to define -- the areas  
11 in the photograph that may be fairly dark, the eye can't  
12 discriminate very subtle differences in those dark areas.  
13 At the same point, very large areas in the image that may  
14 be quite light, the eye can't discriminate any variations in  
15 the lightness. Putting it in as a soft-copy display, we can,  
16 in fact, if there is any difference in the numbers at all,  
17 in the density, we can use those differences to expand the  
18 contrast and so that we get an optimum visual presentation  
19 of the data, what we call the dynamic range of the soft-copy  
20 display is greater than the film system. You're able to  
21 display a lot more gray levels on the soft-copy display than  
22 you are in the standard film presentation of an image.

23 Q Can you give me an example?

1       A    It's hard to give you an example without showing  
2       visually what would happen, but basically I have the  
3       classic photograph that I use on the display where they have  
4       photographed a room from the outside. It is a boiler room  
5       from the outside with open doors. The area surrounding the  
6       room is all visually very -- very nice high contrast. You  
7       can see the building and all of the features of the building.  
8       The area behind the boiler in the room is very dark and on  
9       the film you see nothing in that area. On the soft-copy  
10      display I can present that area so that I can actually see  
11      the details that were present in the real image there and  
12      this is called contrast stretch.

13      Q    Is it possible to use this process to put on a  
14      screen more detail than the human eye can perceive?

15      A    Yes.

16      Q    And how is that possible?

17      A    In two manners. What we are talking about now is  
18      contrast or gray level rendition and not magnification. I  
19      can address magnification later if you want to, the spatial  
20      aspects of the picture. First talk about the contrast. The  
21      human visual system is capable of possibly 30 or 40 shades  
22      of gray, distinguishing 30 or 40 shades of gray at any one  
23      time. On this particular display system, I can display

1 because I have a color capability and I can display some of  
2 the gray shades in colors and with various numbers attached  
3 to them I can display 1,024 levels, discreet levels which  
4 the visual system can then pick up.

5 Q When was this system developed?

6 A This is a -- it's not a specific system. It's a  
7 -- soft-copy displays are sort of a generic term that many  
8 people are using nowadays. They have been around for  
9 several years. The actual use of computerized imagery has  
10 been around for -- I really don't have a good feel, probably  
11 15 - 20 years; but these, where the ability to scan, digitize  
12 and then go to high revolution soft-copy display systems  
13 within the last, you know, within 10 years. Probably less  
14 than 10 years.

15 Q Are there any textbooks that are used in conjunc-  
16 tion with soft-copy displays or any of the processes you  
17 have been describing?

18 A There are a number of texts on digital image  
19 processing and I don't have authors and titles right here,  
20 but I could get a couple of the classical ones. There are  
21 a number of papers in the area coming out of the University  
22 of Southern California, particularly author Harry Andrews  
23 relating to this type of thing. There have been articles in

1 almost all of the major magazines, at least the layman's  
2 description in Scientific America, et cetera.

3 Q Do you have those articles at your disposal at  
4 your office?

5 A I -- yes. Essentially, we have a full library at  
6 Itek.

7 MR. McMANUS: I call for the production of those  
8 articles and the textbooks that Mr. Atkins has been referring  
9 to.

10 MR. CONNORS: Do you want me to bring in his  
11 entire library?

12 MR. McMANUS: Yes. You have asked for more than  
13 that from us, so that's the least you can do.

14 Now, Mr. Atkins, have you ever testified in Court  
15 before?

16 THE WITNESS: No.

17 BY MR. McMANUS:

18 Q In conjunction with the same type of work that you  
19 have done for Lockheed?

20 A No.

21 Q Have you ever been deposed before?

22 A No.

23 Q About this sort of -- have you ever been asked to

1 do this type of work before?

2 A Wreckage-type work?

3 Q (Mr. McManus indicated in the affirmative.)

4 A Basically not that specific, no. The standard  
5 work that I do is to extract intelligence from imagery which  
6 entails measurements, location of objects, identifying  
7 objects, this type of thing. That's what I do on a daily  
8 basis.

9 Q But you have never testified in Court before?

10 A No.

11 Q Or even at a proceeding like this, at a deposition?

12 A No.

13 Q Now, after the first set of slides was then put  
14 up -- went through the soft-copy display stage what happened  
15 next?

16 A Essentially Bob Macomber looked at them to see  
17 what we -- he thought we could further do essentially in the  
18 area and what type of processing that we might put on to  
19 these particular images. And at that point, I had produced  
20 the sample images with annotation, with arrows, with differ-  
21 ent things on them to bring back to Haight, Gardner so that  
22 they could see the -- what the output would look like. At  
23 that point --

1 Q Do you have copies of those?

2 MR. CONNORS: For the record, they have been  
3 provided to Plaintiffs' counsel.

4 BY MR. McMANUS:

5 Q Is that some of the stuff that is here on this  
6 table?

7 A These.

8 Q So, you gave back to Mr. Macomber these four  
9 prints; is that correct?

10 A There were those four prints, plus a series of  
11 negatives and I think there probably were -- I don't recall  
12 if this was the only prints or not but there were more  
13 negatives -- more slides. They were presented in a slide  
14 fashion.

15 Q Would those -- the more slides that you have  
16 referred to, would those be among those that we are going to  
17 be looking at shortly?

18 A I think it was the group of the first 42.

19 Q 42?

20 A Right.

21 Q Okay. Now you have got me a little confused. I  
22 thought that you got perhaps nine to a dozen initially from  
23 Mr. Macomber?

1       A    Nine to a dozen pictures, but I can break a  
2       picture up into many different forms. Use a small blowup,  
3       a big blowup, annotated, non-annotated and that's essentially  
4       why -- I think that there's also duplicates of some of the  
5       slides in the different output media that I have. Basically  
6       I work on a soft-copy display. I do all of my work on the  
7       soft-copy display. There's no physical record except what  
8       I can term as the output. And the output in this case takes  
9       the form of either a photograph of the television screen or  
10      a laser scanned constructed photograph and that's essentially  
11      what these are.

12      Q    All right. You were initially given 12 photos by  
13      Mr. Macomber?

14      A    Yes.

15      Q    And from those 12 photos you then produced 42  
16      slides; is that correct?

17      A    Yes.

18      Q    So there were just those 12 photos that you used  
19      as the basis for your work?

20      A    I didn't use the entire 12 photos. I only used a  
21      partial set of those 12.

22      Q    All right. At any time were you provided with any  
23      other photos?

1       A    After this set was delivered and essentially these  
2        were visually optimized and annotated only. I was then --  
3        we then agreed that I was going to make some measurements on  
4        some other negatives which were later supplied.

5       Q    Can you identify those?

6       A    Essentially those are the set called the Marine  
7       Photos and I think there is 17 negatives in that set.

8       Q    So that is a total of 29 photos?

9       A    Approximately, yes.

10      Q    Well, I'm not holding you to exactly 17 as being  
11       the second number.

12      A    Yeah.

13      Q    But approximately 29 photos. And were all of  
14       those photos provided to you by Mr. Macomber?

15      A    Mr. Macomber actually brought the first set. The  
16       rest of them -- everything that I received after that came  
17       by air carrier -- air courier from Haight, Gardner.

18            MR. CONNORS: May I talk about something here?

19            MR. McMANUS: Sure.

20            MR. CONNORS: When negatives were provided, they  
21        ..were the original Walker Negatives and we did not cut them  
22        into discreet packages, so there would have been additional  
23        negatives perhaps on a strip. In other words, Marine only

1 used one out of a strip of four. The whole strip was sent  
2 because we were not going to destroy that by cutting it up.  
3 So, there may have been more negatives than that produced  
4 at that time. I don't know what he is referring to by the  
5 17.

6 THE WITNESS: The 17 were the actual ones that I  
7 used from the Walker set and numbered by the Walker Numbers  
8 that were adjacent to the frames, the negative frames which  
9 I also received but basically didn't scan and digitize.

10 BY MR. McMANUS:

11 Q Did you even look at them?

12 A In the negative form I am sure I did, but --

13 Q So, basically, it was 29 photos that you worked  
14 with?

15 A (The witness indicated in the affirmative.)

16 Q And those first 12 were provided to you by Mr.  
17 Macomber and there were rolls provided to you by Haight,  
18 Gardner of which 17 -- approximately 17 were designated as  
19 the ones you should be working with; is that correct?

20 A These were cut strips; negatives, four or five  
21 per strip.

22 Q So then all of the photos that you were provided  
23 -- strike that.

1                   Is it fair to say then, Mr. Atkins, that the photos  
2                   that you worked with were not photos that you chose from say  
3                   a whole group of photographs?

4                   A     No. Basically, my task was to work with the  
5                   Marine-type -- the Marine Numbered Photos. I did I think  
6                   work with a couple outside of that range, but that was prior  
7                   to receiving those.

8                   Q     So that's -- the couple outside of the Marine  
9                   Photos would have been those from the first 12 that you  
10                   received?

11                  A     Yeah. They were included in the first 12. They  
12                  weren't identified as Marine Numbers at that point. I scanned  
13                  and digitized one of those and subsequently used the one  
14                  right beside it or something like that.

15                  Q     Now, you said when Mr. Macomber first brought you  
16                  the initial 12 photos he asked you to see what you could do  
17                  with them?

18                  A     Essentially, I showed him what the capabilities of  
19                  my system were on imagery that I had -- that I normally  
20                  would work with in the system and essentially what we were  
21                  going to do was to see if we could in fact see the subtle  
22                  differences that he was interested in on some of the  
23                  photos that he provided.

1 Q Did he tell you why he was interested in the subtle  
2 differences or why he was interested in these photos at all?

3 A Yes.

4 Q And what did he tell you?

5 A He basically described the accident and told me  
6 what he was interested in finding out at that point.

7 Q How did he describe the accident?

8 A Basically, he said that the aircraft had malfunc-  
9 tioned -- something had malfunctioned at high altitudes,  
10 20,000 feet or thereabouts and had ruptured some hydraulic  
11 lines or some control lines, that the pilot no longer had  
12 full control of the aircraft. It came down, it was able to  
13 come in toward the airport, landed a couple miles short of  
14 the airport and the pictures that I was looking at were the  
15 actual wreckage site.

16 Q Anything else? Did he tell you the speed of the  
17 airplane, size of the airplane?

18 A I knew it was a C5A. Basically I am familiar with  
19 with the C5A. They do land at Hanson Field which is right  
20 beside Itek. That's the only feeling I have there. I had  
21 no idea of the speed and really it wasn't of interest to me,  
22 both features. I don't recall him saying anything about  
23 both.

1 Q But you did see pictures of the wreckage?

2 A Yes. I saw it on the initial visit by -- Mr.

3 Macomber had pictures which I -- additional pictures to the  
4 negatives that he left. He left the negatives, but he had  
5 also some photos.

6 Q Do you know --

7 A And I have no idea which ones --

8 Q -- which photos they were?

9 A No, I don't.

10 Q Were they designated in any way?

11 A I don't recall any designations. It was just  
12 general site-type pictures, overall views.

13 Q Do you recall any more precisely what the photos  
14 depicted?

15 A I don't. No. I think that most of them were  
16 subsequently ones that are very similar to the Marine  
17 pictures.

18 Q Did he describe to you the litigation that was  
19 going on?

20 A Only in the very briefest of terms.

21 Q And what were those brief terms?

22 A He said that there was a large suit filed against  
23 Lockheed Corporation by an organization of adoptive parents

1 of the survivors of the crash. I don't recall exact numbers  
2 or anything like that.

3 Q And he told you that the plane landed a couple  
4 miles short of the airport?

5 A He said that the wreckage -- I would say that his  
6 words were the wreckage area was -- this river area was a  
7 couple miles short of the major runway or something.

8 Q From the pictures that you have seen, it is  
9 obvious that the plane simply didn't land; is that correct?

10 A Well, the plane came down into a field obviously.  
11 It did not land on the runway.

12 Q Well, there wasn't a whole lot identifiable as a  
13 plane left; isn't that correct, sir?

14 MR. CONNORS: Objection.

15 MR. McMANUS: You can answer.

16 MR. CONNORS: You can answer.

17 THE WITNESS: Well, I could -- with all of the  
18 parts that I could see, I could definitely tell that it was  
19 an airplane. As far as identifying what type of airplane if  
20 I weren't familiar with airplanes, I would have a hard time.

21 BY MR. McMANUS:

22 Q In fact, if you didn't see the tail section you  
23 would probably have a hard time even describing any of the

1 other parts as being from an airplane; is that correct?

2 MR. CONNORS: Objection. You can answer.

3 THE WITNESS: No. I would -- there were obvious  
4 parts. Wheels and structures that were quite obvious from  
5 the aircraft.

6 BY MR. McMANUS:

7 Q Now, you mentioned that Mr. Macomber described the  
8 accident to you and told you what he was interested in. What  
9 was he interested in?

10 A Essentially, first it was the -- on the west side  
11 of the river, the ground disturbance caused by the aircraft  
12 and essentially we were looking was the ground disturbance  
13 from the river bank or where did the ground disturbance occur  
14 between the river bank and the troop compartment was the  
15 prime object that he was interested in. On the other side  
16 of the river I did also have something he cited over which  
17 you could see the initial -- some touch down points and  
18 although I have subsequently made measurements in that area,  
19 I didn't measure full tracks or anything like that.

20 Q So, his initial interests were just to measure the  
21 tracks from the river bank on; is that correct?

22 A Was to see if I could visually optimize the subtle  
23 differences in the disturbed areas in that section of the

1 ground.

2 Q That's what he told you he was interested in you  
3 finding out?

4 A That was the first thing. The second thing was  
5 actual measurements relating the distances of various objects  
6 or various occurrences, various what have you on the  
7 pictures.

8 Q And did you tell him at that initial meeting that  
9 you could?

10 A I told him that I was quite sure. Already on the  
11 display we could see that we could visually optimize the  
12 subtle differences in the disturbances. We also -- I also  
13 showed him the measurement capability that I had and it was  
14 quite obvious that we were going to -- if we could in fact  
15 identify objects on the ground which were of a known  
16 dimension, then we could in fact make measurements.

17 Q What are your measurement capabilities?

18 A Once we have scanned and digitized the photo and  
19 put it into the system we essentially set up a coordinate  
20 system over the photograph. Depending upon the parameters  
21 we use for scanning, it is a very accurate set of coordinate  
22 systems, I can then go into that coordinate system and count  
23 pixels; in this case picture elements. This is -- each

1 individual number in the computer is a picture element. I  
2 can actually count picture elements and the computer can  
3 designate the length between any two end points that I  
4 specify and I can specify that interactively by locating a  
5 cross or a fiducial mark on the display itself.

6 Q Can pixels be changed to manipulate a photograph?

7 MR. CONNORS: Objection. What do you mean by  
8 manipulate?

9 MR. McMANUS: To change the image.

10 MR. CONNORS: Put some feature in there that is  
11 not there?

12 MR. McMANUS: Or take some feature that is there  
13 out.

14 MR. CONNORS: You can answer.

15 BY MR. McMANUS:

16 Q Either way.

17 A Basically, it is a number and what you have to do  
18 is compare that number with the surrounding numbers. You  
19 can do things to the numbers. Some of the types of process-  
20 ing that are available which we haven't used here would in  
21 fact modify those numbers so that you are introducing infor-  
22 mation that is not contained in the original image. The  
23 processing that we did on these pictures were the scanning

1 and digitizing and then the contrast stretching which  
2 basically give you the -- a relative change between two  
3 pixels. It does allow a relative change, but it -- does not  
4 introduce information that wasn't in the original photograph.

1 Q But you can change a picture that way?

2 MR. CONNORS: Objection. What do you mean by  
3 change a picture that way?

4 MR. McMANUS: Well, I think Mr. Atkins --

5 MR. CONNORS: I think his answer already addressed  
6 that, exactly what he did and what could be done.

7 BY MR. McMANUS:

8 Q You can go ahead and answer.

9 A Oh, okay. You can change the visual rendition of  
10 the picture. You can bring out features that are in the  
11 original picture which you couldn't see originally. You  
12 can express features that were originally there essentially,  
13 but -- and there are, again, types of processing that you  
14 can do which totally changes the image. But, again, we did  
15 not do those type processing.

16 Q Now, what sort of experience have you had in  
17 measuring from pictures?

18 A Day to day activity. One of the tasks that I am  
19 required to do is to actually make measurements of objects  
20 in imagery and this is a normal function built into the  
21 computer system. Basically, I am not doing any measurements.  
22 All I do is locate the point in the image that I want to  
23 measure to. I locate two end points and the computer

1 actually calculates the actual measurement inbetween.

2 Q Does the computer have the capability of measuring  
3 angles?

4 A Yes.

5 Q How?

6 A Now, the angles that we're talking about here,  
7 we have to refer to the XY grid structure of the original  
8 scanned image. The way the display is set up is -- it's a  
9 series of grid lines in two directions across the display up  
10 and down on the display, equally spaced lines. Essentially,  
11 then in order to measure a distance all I have to do is  
12 count pixels between any two locations and subtract the  
13 coordinates. In order to get angles, I have to measure the  
14 coordinate -- the two coordinate points, the XY coordinate  
15 points in either case. And then by normal trigonometric  
16 means, go over and calculate what the actual angle is. So,  
17 it's very straightforward. It is just a counting type of  
18 problem; but the angles that you measure are then based upon  
19 this XY grid structure that you have in the system.

20 Q Does that take into consideration the depth  
21 perception or the depth of a picture?

22 A The pictures that you see on the screen are two  
23 dimensional perspective type pictures. We are essentially

1 working in a two dimensional world. Everything is projected  
2 onto that two dimensional display so that basically you --  
3 no. The angles that you measure are in the two dimensional  
4 plain. You can't measure a three dimensional angle on that  
5 because you don't have the third -- a third depth.

6 Q So, how do you measure that? Through mathematics?

7 A You -- yeah, you would -- if you wanted to measure  
8 that type of an angle, you would have to mathematically  
9 determine what your projection of the original image was.

10 Q So, that's more than just counting pixels.

11 A That would be more than counting pixels possibly.  
12 If you knew the characteristics of the camera system that  
13 you took the original image with and you knew the  
14 characteristics of the -- the location of that camera, the  
15 look angles that we think of, then it's still a matter of  
16 counting pixels and scaling in three dimensions basically.

17 Q Well, you would have to take into consideration,  
18 as I think you said, the angle of the camera to the image  
19 that you're trying to measure, isn't that correct? So,  
20 in other words, if you had an aerial photograph which was  
21 directly vertical to the area that you wanted to measure --  
22 in other words looking straight down, that would simply be  
23 a matter of counting pixels, is that correct?

1 A Provided there were no distortions in the taping

2 system, yes.

3 Q Well, if it's looking straight down, why would  
4 distortions have any effect?

5 A Well, because in an optical system basically the  
6 dimension's away from the center, the optical axis of the  
7 system can be distorted. As you go from the center to a  
8 corner of the format on the -- on the picture, you can in  
9 fact get a compression or expansion and these are known as  
10 pin pushing or barrel distortions and things like this. If  
11 you have a good optical system, a low distortion optical  
12 system, a picture that was taken at some distance you are  
13 less likely to have this type of distortion. What I'm saying  
14 in general a vertical -- vertical photography where the field  
15 of view -- where the frame size -- angular frame size is  
16 quite small, then you wouldn't have to normally worry about  
17 that. If it was a very large wide angle, a typical wide  
18 angle will give you a distortion.

19 Q But if there were no distortions, it would simply  
20 be a matter of counting pixels, right?

21 A Right.

22 Q Now, as one moves off the vertical plain and  
23 moves down towards the horizontal with the area to be

1 measured, you can no longer simply count pixels, is that

2 correct?

3 A And one dimension you can't. If the picture is  
4 taken like most standard photographs are with the object --  
5 with the man centering on the object he's looking at, any  
6 line that's horizontal in the frame is basically the same  
7 scale. So that if that were set up as my "x" dimension,  
8 any measurements along any one of those lines would be the  
9 same, but each line would be slightly different and the  
10 difference in the other direction, into the scene direction  
11 could be accounted for by a trigonometric function.

12 Q So then mathematics would come into play in making  
13 measurements at that point and it would be more than simply  
14 counting pixels?

15 A Yes.

16 Q Now, after Mr. Macomber told you what he was  
17 interested in, did you put the negatives that he brought  
18 with him right up on a soft copy display?

19 A About a week later.

20 Q So, he had to come back?

21 A He came back.

22 Q And it was at that time that you told him you  
23 could --

1 A At that time --

2 Q -- measure?

3 A He took the resultant hard copy and brought them  
4 back to Washington here and at which time I heard from  
5 John Connors that we should proceed with the measurements  
6 and go on from there.

7 Q All right. What precisely were your -- was the  
8 task you were given?

9 A It basically was to take the negatives that I  
10 received from them --

11 Q So that would be the Morain negatives now?

12 A The Morain negatives and compare the measurements  
13 of the Morain, if I felt that was the proper way to do it.  
14 They also, at that time, gave me what they said were correct  
15 dimensions of objects on the ground which I could use as  
16 reference points.

17 Q So, you did not measure the reference points  
18 yourself, is that correct? That information was given to  
19 you?

20 MR. CONNORS: Objection.

21 THE WITNESS: The actual dimension of the object  
22 in feet was given to me.

23 BY MR. McMANUS:

1 Q Do you recall what the reference objects were?

2 A Yes. It was a tire which was I think on Morain --  
3 let's see. Walker Photo 748. The tire was at the corner of  
4 the field. Actually, it wasn't that tire. It was a -- the  
5 dimension they gave me was for the tires on the C5A. They  
6 gave me the -- a tail dimension which was described from a  
7 report that I received a copy of the report from John  
8 Edwards with those dimensions in it. The total length of  
9 the troop compartment as it was configured in the photo,  
10 that included front to back and not necessarily just the  
11 troop compartment; and also had a dimension of the diameter  
12 looking into the rear of the so-called troop compartment.

13 Q So, all of those measurements were furnished to  
14 you, is that correct?

15 A Those were furnished to me.

16 Q So, if there had been any mistakes in those  
17 measurements, that would throw off any subsequent calculations  
18 that you made, is that correct?

19 A All of my calculations are based on those  
20 measurements and would have to be changed if those need to be  
21 corrected.

22 Q And again, the measurement for the tire was given  
23 to you in terms of this is how wide a C5A tire is as opposed

1 to the tire in this picture is --

2 A No, the maximum diameter of a C5A tire and there's  
3 a description of it in the John Edwards report of the general  
4 tire.

5 Q Do you have those measurements with you that you  
6 were given?

7 A Yes.

8 Q Could you give them to me?

9 MR. CONNORS: May I see those, please?

10 There are two things. The Edwards report that he  
11 refers to has been produced to the Plaintiffs before.

12 MR. McMANUS: An Edwards report has been produced  
13 before.

14 MR. CONNORS: Yes.

15 Give me the other one.

16 Okay. What I'm producing to counsel now is the  
17 Edwards report previously produced to Plaintiffs' counsel,  
18 report for John Edwards. In addition to that, Mr. Atkins  
19 has in his file a letter from Mr. Macomber with some factual  
20 information and also with some word product information in  
21 it and I have no objection to reading the factual information  
22 into the record. I'm sure counsel will request the document.  
23 However, because, again, of my concern for Mr. Macomber's

1 status at that point as a consultant I am not going to  
2 produce the document but I will read at this time the factual  
3 information into the record.

4 MR. McMANUS: Well, I do request production of the  
5 document and hope that Lockheed doesn't think that they can  
6 have a series of people designated as consultants, et cetera  
7 to pass information back and forth and therefore protect that  
8 information.

9 MR. CONNORS: Not at all. Certainly not the  
10 factual information, but I am concerned about his role as a  
11 consultant in the litigation.

12 MR. McMANUS: I would like before you do that, sir,  
13 I would like to have this Edwards report marked as Atkins  
14 Exhibit Number 2 and I will get you copies for that.

15 Perhaps if Mr. Atkins could read the information.

16 MR. CONNORS: The information that is factual in  
17 nature is contained in a bracketed portion at just below the  
18 bottom halfway point on the page. He can read that.

19 THE WITNESS: The -- essentially what it is, there  
20 is a Morain Report Number -- Page Number which is a reference  
21 and then the aircraft structure, the component, the Morain  
22 dimension and then the what is called the correct dimension.  
23 And here I have penciled in two corrections on the dimensions

1 there which essentially was telephoned to me the same day

2 I received this and it came out of the Edwards report. It's  
3 just a typographical error or what have you from that, so  
4 the Morain Report Page 5, the tire, the Morain dimensions  
5 3.75 feet. The correct dimension on the typewritten letter  
6 was 4.06 feet or 48.75 inches. My penciled in annotation was  
7 4.02 feet. That came from the John Edwards report.

8 BY MR. McMANUS:

9 Q So, in other words, we have three measurements for  
10 the tire?

11 MR. CONNORS: Objection.

12 BY MR. McMANUS:

13 Q The one that you found, one that Mr. Morain listed  
14 in his report and the one that John Edwards gave, is that  
15 correct?

16 A No. No. The three are -- I received the letter  
17 saying these were the correct dimensions, but at that point  
18 I did not have the Edwards report and I don't think Mr.  
19 Macomber did either. He received the Edwards report the same  
20 morning that he sent this out and correct those two numbers  
21 from the Edwards report. None of these are my dimensions.  
22 I didn't make any measurements. All I did was note from a  
23 telephone conversation with -- with John Connors the change

1 dimension and the next one which is the tail dimension.

2 Q Well, there are still three tire dimensions listed  
3 there, is that correct?

4 A Including the penciled in one?

5 Q Yes.

6 A Yes.

7 Q What are the three sources again of that information?

8 A Morain dimension from the Morain Report, the  
9 correct dimension and, again, I don't know where that came  
10 from other than it's written into this letter received from  
11 Mr. Macomber. And then the penciled in number which came out  
12 of the John Edwards Report Mr. Macomber called into me.

13 MR. CONNORS: I want the record to reflect when  
14 he says correct dimension he is referring to the title at  
15 the top of that column and not as a representation that that  
16 is the correct dimension. Obviously what he means is that  
17 it was corrected later by the telephone call.

18 Go ahead.

19 THE WITNESS: The second is the Morain Report,  
20 Page 21, the tail structure. The Morain dimension was  
21 34.75 feet. The typewritten dimension was 35.12 feet and  
22 the telephone corrected dimension was 39.92 feet.

23 BY MR. McMANUS:

1 Q Now, what is the genesis of the corrected or the  
2 penciled in numbers?

3 A That's because I attribute it to a typographical  
4 error. I don't know where these numbers came from. So, I just  
5 can't comment on them. All I know is I received the letter  
6 and at the same time I received a telephone call saying that  
7 those two dimensions were listed wrong. The Edwards Report  
8 says they should be and I changed those to reflect the  
9 Edwards Report.

10 Q All right. And that is in a letter to you from  
11 Mr. Macomber on Aeroeco stationery, is that correct?

12 A Yes. The other two dimensions are Morain Report  
13 Page 28, troop compartment -- listed as troop compartment.  
14 The Morain dimension was 65 feet. Under the column correct  
15 dimension typewritten on this letter 75.67 feet, and the  
16 fourth is -- there's no telephone -- that was the same as --  
17 the fourth is Morain Report Page 32, again, troop compartment.  
18 That is general editing. The Morain dimension of 232 inches  
19 and the -- under correct dimension typewritten 254.42 inches.

20 Q And you don't know where the information under the  
21 heading correct dimensions come from, is that correct?

22 A I know that some of it comes from the Edwards  
23 Report. Where the first two dimensions came from, I don't

1 know.

2 Q Were you provided with a copy of Dr. Morain's  
3 written report?

4 A Yes.

5 Q Did you compare it to see if any of his figures  
6 matched up with those in that letter from Mr. Macomber?

7 A I compared basically the two dimensions that I  
8 subsequently used later on which is the tire dimension and  
9 the troop compartment length dimension, 65 feet.

10 Q Do you know what photogrammetry is?

11 A Yes.

12 Q Could you give me what your definition of it is?

13 A I don't know how close I can come to the real  
14 definition but it's basically making measurements off of  
15 photographs.

16 Q Are you a photogrammetrist?

17 A Not by title or by degree or by any piece of  
18 paper. I do what's -- what I consider photogrammetry, what  
19 Itek considers photogrammetry on a regular basis, yes.

20 Q So, you recognize photogrammetry as a science?

21 A Yes.

22 Q Are you a geographer?

23 A I would say no.

1 Q Do you have any training at all in geography?

2 A No formal training.

3 Q You were asked to compare the measurements of  
4 Dr. Morain, is that correct?

5 A Essentially, yes.

6 Q What were you going to compare them to?

7 A What I was basically asked to do was to make my  
8 own set of measurements off the same photos that he used and  
9 utilizing the dimensions that were given to me for ground  
10 objects, come up with comparable type measurements from  
11 comparable points basically; and I didn't do a point by  
12 point comparison with everything he's done in his report.

13 I took the ground disturbance marks on the west bank measured  
14 over all which will show up in some of the later photographs  
15 that I have and measured certain points, certain objects,  
16 distances data. I didn't use the same techniques -- basic  
17 same techniques that he used, at least same objects that he  
18 used.

19 Q So, you did not use the same reference points that  
20 Dr. Morain used, is that correct?

21 MR. CONNORS: Objection. Go ahead.

22 THE WITNESS: I used the same end points for the  
23 final measurements but not necessarily the same objects to do

1 my proportions of scaling from.

2 BY MR. McMANUS:

3 Q Why was that?

4 A On the east side of the river I did use the tire.

5 My measurements were based upon the tire in Photo 748. I  
6 used the same tire because that is an object which is readily  
7 available to us in the proper format; and on the other side  
8 of the river I found that I could better go to some object  
9 that occurred in the natural photos that I had which I  
10 thought that I could then relate a cross a series of photos.

11 Dr. Morain used people essentially for measurements in a  
12 number of his proportions and people, without knowing an  
13 accurate dimension of the person, I find that kind of  
14 difficult to utilize. So, I try to use only the dimensions  
15 that were supplied to me as true scale dimensions.

16 Q Well, you couldn't use those in all of the  
17 photographs that were provided to you, could you?

18 A In those that I made measurements on I could, yes.

19 And I --

20 Q Well, you understood that your task was to disprove  
21 Dr. Morain's measurements, didn't you?

22 MR. CONNORS: Objection.

23 THE WITNESS: It was to compare. Essentially,

1 I really had no contention of disproving or anything else.

2 I was working independently, making a set of measurements  
3 from the -- basically from the west bank to the troop  
4 compartment to see if I could come up with an independent  
5 set of measurements. Subsequently they will be compared,  
6 obviously, but that wasn't my task.

7 BY MR. McMANUS:

8 Q Well, you knew that Dr. Morain had been retained  
9 by the Plaintiff in this case?

10 A I read his report and received his dimensions.

11 Q And you knew he was retained by the Plaintiff?

12 A Yes.

13 Q And you knew that Lockheed was the Defendant, the  
14 other side?

15 A Yes.

16 Q And that's who you were doing your work for?

17 A Yes.

18 Q And you didn't expect that Lockheed was asking you  
19 to prove that Dr. Morain was right, did you?

20 MR. CONNORS: Objection. You're arguing with the  
21 witness.

22 : You can answer the question.

23 THE WITNESS: That's not the way I professionally

1 do business. I was asked to make a set of measurements and  
2 that's my task and that's what I did.

3 BY MR. McMANUS:

4 Q And it did not occur to you to use the same  
5 reference points and the same reference items that Dr. Morain  
6 used?

7 MR. CONNORS: Objection. He said he did use some  
8 of the same reference points that Dr. Morain used.

9 MR. McMANUS: Some but not all.

10 THE WITNESS: Well, if you go point by point, Dr.  
11 Morain made many more measurements than show up in my photos  
12 although I think you will be able to come up with comparable  
13 types of numbers from my photos. The thing is he essentially  
14 proportioned from people in several pictures. I've taken  
15 measurements from a couple of pictures and related them back  
16 to the ones that he actually made measurements in.

17 BY MR. McMANUS:

18 Q So basically, you both had different methods and  
19 approaches to reaching the various measurements?

20 A The standard photogrammetry requires that you have  
21 a known ground dimension and then you can proportion from  
22 that, yes.

23 Q But his methods and approach was different from

1 yours, is that correct, because he measured different objects

2 A The objects -- the objects in some cases were  
3 different, but the basic measurement approach of measuring  
4 a scaled object and then proportioning other objects in the  
5 scene are similar.

6 Q Okay. But since you didn't measure exactly the  
7 reference items and the reference points that Dr. Morain did,  
8 you are not in a position to say that he was wrong in his  
9 measurements, is that correct?

10 MR. CONNORS: Objection. That doesn't necessarily  
11 follow. And again, you are arguing with the witness. He  
12 can answer to the extent he can.

13 THE WITNESS: The thing is I don't have dimensions  
14 I don't think for every dimension that Dr. Morain had  
15 specified in his report. The overall dimension that I am  
16 looking for is the disturbance marks from the west bank to  
17 the troop compartment and that is the ones I've concentrated  
18 on. I believe I have used the same points as reference and  
19 so that I can measure from a particular object or place in  
20 a photo to the end of the troop compartment at the same  
21 position he did. And we do have comparable measurements  
22 basically that way.

23 BY MR. McMANUS:

1 Q But since you don't have all of the measurements

2 that he took, you are not in a position to say that he was  
3 wrong, are you?

4 MR. CONNORS: Objection because you haven't  
5 related that to what he measured and what he was asked to do  
6 as compared to what you asked Dr. Morain to do.

7 MR. McMANUS: Well, counsel, he was asked to  
8 compare and to check Dr. Morain's measurements and he didn't  
9 measure all of the same things, then you are not really in  
10 a position to say whether or not Dr. Morain was correct or  
11 incorrect, isn't that so?

12 MR. CONNORS: Dr. Morain's report covered several  
13 different areas and when he said he didn't use the same  
14 measurements he obviously as he stated referring to some of  
15 the human figures which Dr. Morain thought he could use as  
16 scaling features and you are mixing apples and oranges in  
17 the question and that is the problem I have with it. Note  
18 my objection.

19 MR. McMANUS: I note your objection.

20 Can you answer the question, Mr. Atkins?

21 THE WITNESS: You can compare my measurements  
22 directly with Dr. Morain's for the ones that I have presented.  
23 They are of identical things, so that I can do a direct

1 comparison. I don't have all of the dimensions of all the  
2 objects that Dr. Morain has I don't think. I might have.  
3 But I haven't done a point by point comparison with Dr.  
4 Morain's report at this point to see.

5 BY MR. McMANUS:

6 Q So at this point you can't tell me if he's right  
7 or wrong?

8 MR. CONNORS: As to what measurement?

9 BY MR. McMANUS:

10 Q As to any measurement, if you haven't completed an  
11 analysis point by point.

12 MR. CONNORS: Again, I think counsel and the witness  
13 are talking about two different things. Why don't you ask  
14 him about a particular measurement.

15 MR. McMANUS: Well, we will get to a particular  
16 measurement. He's told me he hasn't -- he didn't use all of  
17 the same reference points as Dr. Morain, is that correct?

18 THE WITNESS: I didn't use the same objects  
19 necessarily in the same pictures that he used.

20 BY MR. McMANUS:

21 Q And he used more than you, is that correct? I  
22 believe you said that before.

23 A He may -- he looked at areas other than the troop

1 compartment. He looked at areas out to the wings. He looked  
2 at areas to the -- where the pilots' area was and to the tail  
3 and things like that. Basically, I haven't done those.  
4 My main concern was from the measurements from the riverbank  
5 to the troop compartment. In that case, that measurement  
6 differs from Dr. Morain's.

7 Q I understand that they differ. My question is  
8 you were not in a position to say that his method and his  
9 approach and his measurements are incorrect, is that right?

10 A Only to the -- my feeling of the accuracy of my  
11 measurements.

12 Q But you weren't on the scene?

13 A Definitely not.

14 MR. CONNORS: Neither was Dr. Morain.

15 MR. McMANUS: I understand that.

16 So he used one approach and one method and you  
17 used another, is that correct?

18 THE WITNESS: No. I -- basically, we have used  
19 the same approach and for parts of the measurements we have  
20 utilized identically the same objects and where we have, my  
21 numbers differ from his in two aspects. 1, I used a different  
22 scaling factor than he did and the scaling factor is derived  
23 from the set of measurements that we talked about a few

1 minutes ago, the troop compartment measurements and the tire  
2 measurements. The numbers I am using are slightly different  
3 than Dr. Morain's for those objects.

4 BY MR. McMANUS:

5 Q The second?

6 A That would account for part of the -- the difference.

7 The rest of the difference would be how accurately you can  
8 actually proportion measurements and how accurately the  
9 techniques -- not -- the measuring tools that we each had  
10 available to us.

11 Q Now, Dr. Morain used more scaling reference points,  
12 is that correct?

13 A Not for the same measurements that we just talked  
14 about.

15 Q And how were the scaling factors different?

16 A We just read the difference between the Morain  
17 dimension and the dimension that I had received in the --  
18 either the telecon or the letter basically and you have those  
19 dimensions.

20 Q And you don't know where either of those sets of  
21 dimensions came from, is that correct?

22 A I do -- where Dr. Morain's dimensions came from,  
23 I have no idea other than the Morain Report. The other

1 dimensions, the dimensions that I used, basically that's  
2 what we are talking about, the difference between my scaling  
3 dimensions and Dr. Morain's, came from the John Edwards Report.

4 Q So then you are relying on Mr. Edwards?

5 A I am relying on Mr. Edwards. Right. His report.

6 Q So, of course, if Mr. Edwards is wrong then your  
7 figures would be off?

8 A I scaled all of my figures based upon those  
9 dimensions.

10 Q How important is the scale factor to reaching your  
11 measurements?

12 A The scale factor in mensuration in this type of  
13 mensuration is the only way you can come to an accurate  
14 measurement and this is anyway due to Dr. Morain's  
15 measurements were exactly the same way based upon a scale  
16 factor. You multiply the scale factor times the -- another  
17 proportional dimension that you have measured and consequently  
18 if you are wrong in the -- your scale factor, you are also  
19 wrong in your final dimension.

20 Q All right. Now, I believe you told me a short  
21 while ago that you felt Dr. Morain used the -- basically the  
22 same approach as you did?

23 A Same technique, different measuring instruments.

1 Q His measuring instruments are acceptable measuring

2 instruments, are they not?

3 A Depending upon what you're trying to measure. I  
4 really can't -- they're acceptable for certain types of  
5 measurements, yes.

6 Q Are they acceptable for measurements of this type?

7 A My feeling is that for some of the objects that  
8 were measured, the accuracy which he can in fact discern the  
9 known dimensions are not good enough, no. There was quite  
10 a bit of subjective on his part. There is a certain  
11 subjective view that he has to look through a radical or  
12 look through a fiducial mark on the measuring instrument  
13 to the photograph, determine on the photograph whose scale  
14 -- basically, he measured off five by seven photographs and  
15 the scale of the photographs may not be adequate to determine  
16 the actual end point of the object that you are looking at.  
17 And so there is a possible error source in 1, locating the  
18 end point and 2, in reading where the actual scale falls  
19 with respect to those end points.

20 Q Well, if someone is trained in reading these  
21 instruments, they can do an accurate job, isn't that correct?

22 A Depending upon the scale entirely.

23 Q Do you know what scale Dr. Morain used?

1 A He used the five by seven photographs which  
2 essentially would be about a five X blowup of the original,  
3 five times enlargement of the original photograph.

4 Q Well, you don't have any reason to believe that  
5 Dr. Morain's methods were incorrect, do you?

6 A The overall method of taking a scaled object and  
7 proportioning it to another object and seeing is standard  
8 practice. The accuracy of precision, again, and the choosing  
9 of the scaled object is where the differences occur.

10 Q But those are purely subjective standards, isn't  
11 that correct? In other words, he didn't do anything unusual  
12 or out of the ordinary in measuring the object that he was  
13 measuring?

14 A No, but it does -- the method that he -- the  
15 measurement instruments and the scales that he used would  
16 definitely affect the accuracy of his measurements.

17 Q Are you saying that it was not possible for him  
18 to get an accurate reading with the scale that he used?

19 A No. That it may be possible with a fiducial scale  
20 if enlarge the image to the proper size so that you can in  
21 fact measure points so you do know that you are looking at  
22 an edge of an object rather than somewhere just slightly to  
23 the left or right of the edge essentially.

1 Q Of course, it depends on what you're looking at too,

2 doesn't it? -

3 A It totally depends on the task, but in this case  
4 the accuracy of measurement is the dependant upon how large  
5 the scale object is. The larger the scale object, the more  
6 accurate you can make measurements. Going from a tire which  
7 is four feet on a picture which shows a scene of several  
8 hundred feet, the accuracy is not as good as though I have  
9 an object in that same scene of several hundred feet which  
10 I knew the measurement of.

11 Q But if one did know the dimension of an object  
12 several hundred feet, one could then be accurate?

13 A You would be more accurate. More accurate, yes.

14 Q Other than using different measuring tools, your  
15 approach and Dr. Morain's approach were the same, is that  
16 correct?

17 A I would say the technique in photogrammetry is  
18 the same, yes.

19 Q And is it fair to say that the primary difference  
20 between your measurements is as a result of the different  
21 scales used by the two of you?

22 A That would be definitely one item and also the fact  
23 that when I put an object on the soft copy display I can

1 better differentiate a point that I am measuring it to and  
2 I can measure it -- in my case, I can measure it down to one  
3 pixel value and can discern, for example, where the end of  
4 the troop compartment is within one pixel.

5 Q But Dr. Morain did make more measurements than you  
6 did, isn't that correct?

7 A I believe he did, but I won't -- again, I wasn't  
8 to measure all of his dimensions. I was basically concerned  
9 with the troop compartment.

10 Q Have you completed all of the tasks that have been  
11 asked of you in conjunction with this project?

12 A All of the ones that have been asked to date, yes.

13 Q Before we look specifically at the size that you  
14 took, what are the differences between your measurements and  
15 Dr. Morain's measurements?

16 A I really haven't done a straight comparison. I  
17 will present my measurements and it's really not my task to  
18 do a straight comparison between the two. It hasn't been  
19 my task. My task was to come up with the -- what I felt  
20 were the dimensions.

21 Q Well, you have looked at Dr. Morain's, is that  
22 correct?

23 A I have looked at Dr. Morain's report, yes. I don't

1 recall the comparable measurements that he has to the  
2 measurements that I have. The only one that I can -- mine  
3 vary a few hundred feet in the riverbank to the troop  
4 compartment and there's a variation. I don't know exactly  
5 what that is. I have to look in his report and compare it  
6 with mine number on the slide there. I haven't committed it  
7 to memory.

8 Q Well, do you anticipate that you will be asked to  
9 do that?

10 A At this point in time, I really don't know. I  
11 have submitted what I call the most accurate measurement that  
12 I can make and that -- that is the output of my task.

13 Q And is that reflected on all of these photos?

14 A That's reflected on those photos, yes.

15 Q Well, I understood that you were to compare Dr.  
16 Morain's. When do you plan on doing that?

17 A That's compare in the loose sense of making sure  
18 that I made the same -- measured over the same areas that he  
19 measured and that's what I had agreed upon for the word  
20 compare, not on a measurement by measurement basis throughout  
21 his entire report.

22 Q So then you can't tell me today even if there are  
23 differences between all of Dr. Morain's measurements and all

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
MR. CONNORS: I will have to object to that. If you want him to take the time to read Dr. Morain's report right now and go point by point with his measurements, you are welcome to do so; but don't say he can't compare them because he certainly could do it if you want him to do that. The point is he has not sat down and does not have that committed to memory which is what I think he has just stated.

BY MR. McMANUS:

Q Can you without looking at the report tell me even whether or not there are differences?

A I can tell you there are differences. I cannot tell you what the differences are. I can tell you my numbers in general. All the numbers that I report are, I believe, different than Dr. Morain's.

Q And you are not saying who is right or wrong, you are just saying that they are different, is that correct?

MR. CONNORS: Objection.

THE WITNESS: My feeling is that --

MR. CONNORS: Wait a minute. My objection is who is the who in that question?

BY MR. McMANUS:

Q You are not saying that the measurements -- you are

1 not saying that Dr. Morain's are right or wrong, but you are  
2 merely saying that his measurements are different from yours?

3 A To the best -- to my best technical judgment, my  
4 measurements are correct.

5 Q Are you then saying that his are incorrect?

6 A I am not -- I don't have that task.

7 Q Mr. Atkins, did you bring with you today your  
8 entire file regarding the project you have been asked to  
9 do by Lockheed?

10 A Almost. The -- what I don't have here obviously  
11 is what is in the computer and the way I work basically is  
12 store a lot of the data in the computer. All the images --  
13 the latest images that you have including the annotation,  
14 the numbers and all are stored in the computer so that I can  
15 regenerate those at will, basically, at this point. There's  
16 also copies of some of the documents which I received that  
17 I don't have with me at this point, but basically I have  
18 what the photos and all that I have generated -- copies of  
19 the photos and all that I have generated plus some of the  
20 notes; the characteristics of the scan system, the -- some  
21 verification of measurements. The computer measurements I  
22 always verify by hand as I go along just to be a hundred  
23 percent certain and I've got some of the verifications just

1 in handwritten notes and some of the descriptions of the  
2 ones I have scanned and digitized a photograph in order to  
3 bring sections of that photograph up to the screen for  
4 display. I have to know the coordinate locations and so I  
5 keep track of the coordinate locations of certain of the  
6 pictures, things like that. That's basically what I have  
7 here.

8 Q I'd like to see that and mark all those so if there  
9 is any other items that you feel are subject to some sort of  
10 privilege, you might want to look through them, Mr. Connors.

11 MR. CONNORS: Okay.

12 MR. McMANUS: While you are doing that, why don't  
13 we take about a five-minute break.

14 (A short recess was taken.)

15 MR. CONNORS: I have given to Mr. McManus the bulk  
16 of Mr. Atkins' file. I did not give him the copies of the  
17 slides which were already produced nor the second copy of  
18 Mr. Atkins' Curriculum Vitae which Mr. McManus said he did  
19 not need. I also withdrew a single-page document listing  
20 items which were sent to me by Air Currier on December 15,  
21 1981. There are four categories listed. Basically, these  
22 are the negatives, prints and positive black-and-whites  
23 and some other type of print that we have already produced to

1 Plaintiffs. The reading basically accounts for negatives,  
2 prints, black-and-whites which are called Dunn camera prints,  
3 D-u-n-n camera prints; and upon information, I believe copies  
4 of all of those with the exception of the negatives have been  
5 furnished to Plaintiffs' counsel and Plaintiffs' counsel  
6 was furnished with prints made from those negatives, however,  
7 we have retained the original negatives.

8 BY MR. McMANUS:

9 Q Mr. Atkins, you have several copies of photographs  
10 in your files, some of which are duplicates?

11 A Yes.

12 Q Are all these photographs generated from the  
13 original two sets of 12 and 17?

14 A Yes. The difference in what you see there are  
15 some are generated from the original negatives without the  
16 going through the computer and some are computer -- after  
17 going through the computer are generated. So, there's a  
18 set of prints of the original negatives that I used as  
19 references.

20 (Pause.)

21 MR. CONNORS: While the Plaintiff's counsellor is  
22 reviewing the file, I should also note that we produced today  
23 copies in both slide form and in 8 by 10 black and white

1 print form of various materials prepared by Mr. Atkins as  
2 well as a slide tray which we have brought here of the slides  
3 which he has prepared in what Mr. Atkins advises me is in  
4 reasonable order. I just want that in the record because I  
5 don't have a document showing the production of this  
6 material.

7 BY MR. McMANUS:

8 Q Could you go through the photos that were in your  
9 file, Mr. Atkins, and try and pull out for me as best you  
10 can the 12 that were originally given to you by Mr. Macomber  
11 and then next set of approximately 17 that was forwarded to  
12 you by Mr. Connors.

13 A They include the same -- there is an overlap.

14 Q An overlap? Okay.

15 A In those pictures, so basically, this set here is  
16 taken from the original negatives and they are just blowups  
17 of the original -- prints of the original negatives. This  
18 is the 17 negatives -- no, I take that back.

19 Q There's more than 17 there.

20 A There's two or three more. I know the extra ones --  
21 when I had them printed, the man printing them printed  
22 negatives on either side of the ones that were of interest  
23 to me.

1 These are duplicates and were not used. They were

2 just -- this was used originally.

3 MR. CONNORS: The record should reflect that Mr.  
4 Atkins is separating the photographs into piles for Mr.  
5 McManus --

6 THE WITNESS: These two were not used ever.

7 BY MR. McMANUS:

8 Q Those two piles?

9 A Well, they're the same photos --

10 Q Oh, I see.

11 A They were never used. They were just printed in-  
12 advertently and they got -- this one was one of the original  
13 negatives that was given to me which is the negative which  
14 I will say Walker 339. Basically, we used the one beside it,  
15 Walker 340 for our Morain type measurements but I did  
16 originally make measurements from this of the original  
17 42 pictures that you have. Many of the 42 pictures are of  
18 a section taken from that image. These other images are the  
19 -- there should be 17 here.

20 (Pause.)

21 These are the 17 which correspond to the Morain  
22 pictures.

23 MR. CONNORS: Now, for the record, there are numbers

1 on the back. Could we put them in the record, please?

2 MR. McMANUS: Sure. Why don't you give them to  
3 me.

4 THE WITNESS: I think they're all here.

5 MR. McMANUS: The numbers on the back of those  
6 17 photos that Mr. Atkins has stated or that -- or designated  
7 by Mr. Atkins is the Morain photos are as follows: 335, 338,  
8 269, 321, 257, 735, 736, 748, 262, 340, 761, 83, 187, 757,  
9 762, 300 and 767. The other pictures that Mr. Atkins has  
10 referred to as being one from which many of the 42 slides  
11 were drawn from has no number on the back of it.

12 THE WITNESS: I think that's number 339.

13 BY MR. McMANUS:

14 Q 339?

15 A Yeah. It's the negative that's beside 340 and --

16 MR. McMANUS: I'll see if we can get copies of all  
17 of these.

18 (Discussion off the record.)

19 BY MR. McMANUS:

20 Q Doctor, is it fair to state that your function  
21 then in looking at these photos was simply to make measurements  
22 of certain aspects of the photos?

23 A Yes.

1 Q Did any of your tasks have anything to do with  
2 photo interpretation or enhancement of photos?

3 A Well --

4 MR. CONNORS: Well, I'm going to object. It is a  
5 compound question. I think there are two distinct subjects  
6 there.

7 BY MR. McMANUS:

8 Q The first one. Photo interpretation.

9 A Well, mensuration is an obvious task of photo  
10 interpretation so, in that sense, yes, photo interpretation.

11 Q I am specifically talking about details in photos  
12 as opposed to making measurements. I am trying to determine,  
13 if, for example, something depicted on a photo is a dike or  
14 a piece of airplane wreckage, something like that.

15 A Basically, no. I was able to examine those photos  
16 and find the same object without being able to -- without  
17 having to identify it necessarily to make measurements to it.

18 Q So, your sole task was measuring them?

19 A Yes.

20 Q Okay. Now, I'd like to show you --

21 (Discussion off the record.)

22 BY MR. McMANUS:

23 Q Before we get to the slides, Mr. Atkins, I'd you to

1 again explain to me what are these four pictures.

2 A These -- okay. These particular pictures were  
3 generated on a laser scanner.

4 Q Was that done by you?

5 A By me, yes. They were taken from -- after I  
6 scanned and digitized the original negatives, put them into  
7 the computer, I reconstructed the image on a laser scanner.

8 Q What is a laser scanner?

9 A A laser scanner -- there are three output methods  
10 for my soft copy display: Photographing the screen, the  
11 television type screen with two separate cameras or taking the  
12 pixel by pixel values and writing it on a piece of film with  
13 a laser. The laser is moved across the film and the bright-  
14 ness of the laser change to -- to get the density. We have  
15 the laser scanner essentially that generates this type of  
16 picture.

17 Q So, the laser scanner can make a picture darker  
18 or lighter as you may want to choose, is that correct?

19 MR. CONNORS: Objection. I don't think that's  
20 what he said.

21 THE WITNESS: No, the --

22 MR. McMANUS: I'm not suggesting that it is. I am  
23 asking him isn't that correct.

1 THE WITNESS: It's actually reconstruction.

2 Basically, it's not a lot different than a standard photographic  
3 camera and the only difference is each individual pixel is  
4 illuminated separately whereas a standard camera you would  
5 illuminate the entire picture and snap one frame of data.  
6 The laser scanner writes one pixel at a time across the  
7 image.

8 BY MR. McMANUS:

9 Q And just as one can set a camera to have a darker  
10 or lighter image, one can use a laser to make each individual  
11 pixel lighter or darker, isn't that correct?

12 A We can adjust the exposure of the film on the  
13 laser scanner or on a camera. Basically what I should state  
14 at this point is all of my measurements and all of my work  
15 is done on a soft copy display system. The hard copy output  
16 is only in lieu of bringing my display to wherever I have to  
17 present data. I can't do that. The quality of soft copy  
18 display is much greater, much better than anything I can  
19 produce in hard copy and consequently, I have given several  
20 different forms of output here. Hopefully, one of the forms  
21 will best show whatever is trying to be shown in the pictures.

22 MR. McMANUS: I would like these marked as Atkins  
23 Exhibits 3, 4, 5, 6. We will make copies of those later.

(The documents referred to were  
marked as Atkins Deposition  
Exhibit Nos. 3, 4, 5, 6 for  
identification.)

1 MR. CONNORS: Are you going to put particular

2 numbers? They have numbers on them.

3 MR. McMANUS: Yes, I'll do that for you. 3 we'll  
4 mark as picture having 20 in the upper left-hand corner.

5 4, on the left-hand side has a 0E about in the middle of the  
6 picture in the left-hand margin. 5, in the upper left-  
7 hand corner has the bottom of a zero.

8 THE WITNESS: It is the same picture without the  
9 arrow.

10 MR. McMANUS: And I guess the bottom of a 2.

11 And I've been told by Mr. Atkins it is the same as  
12 20 but without the arrows within it.

13 And 6, in the upper right-hand corner has -- is that

14 87

15 THE WITNESS: 9-C.

16 MR. McMANUS: 9-C.

17 Now, Mr. Atkins, Exhibit Number 3, Picture 20,  
18 there are some arrows drawn in. Who put those arrows on that  
19 picture?

20 THE WITNESS: I might say that all four of these  
21 pictures were generated from the earliest batch before I had  
22 made any measurements or anything on the pictures and were  
23 generated only to show the output quality. Basically they

1 are sample pictures showing how I could annotate a picture  
2 if necessary. And essentially, I put those in. This is the  
3 inter-active capability of my system. I can draw on the  
4 display. I can draw single pixels and I can color them any  
5 color I want. In this case, I have drawn white arrows  
6 indicative of what could be done to annotate an image.

7 BY MR. McMANUS:

8 Q So, those arrows have no value other than to show  
9 what can be done by your system, is that correct?

10 A Essentially in that picture they have no value.

11 Q And they're not meant to indicate tracks or  
12 anything like that in the picture, is that correct?

13 A No.

14 Q All right. Now, we are going to take a look at  
15 the first set of slides that you've produced to us and these  
16 are black and white slides taken from the set of 17 pictures,  
17 is that correct?

18 A No, this is taken from the initial set. This is  
19 out of the 42.

20 Q That's what Mr. Connors --

21 MR. CONNORS: For the record, the first group in  
22 the slide tray are the 42 slides originally produced to  
23 Plaintiffs' counsel and the very first portion of it, there

1 was some writing on the slide which I believe says CRT  
2 recording and that doesn't go all the way through to the  
3 42, but that's in the first batch.

4 THE WITNESS: Well, -- essentially, some of these  
5 slides again were sample slides to show the difference  
6 between the laser scanner photographing the screen,  
7 photographing the screen with color film or black and white  
8 film, different quality type films. Again, to show the  
9 features in the best light possible.

10 BY MR. McMANUS:

11 Q Briefly, what is this first slide, the slide with  
12 the number 9 in the upper --

13 A Number 9 corresponds to 339 Walker and it shows  
14 the overall view of the -- of the image that I scanned not  
15 at the full revolution that I scanned it, but at a reduced  
16 revolution so I could get the entire picture on the screen.  
17 This entire negative was actually two and a quarter by three  
18 and a quarter which would extend -- let's see. It would be  
19 actually four times larger than that. So, I have reduced it  
20 to bring it up to the screen at that point.

21 Q So, is this slide a hard copy of the image produced  
22 by the soft copy?

23 A Yes.

1 Q With the machinery you have at your offices?

2 A Yes. And I think --

3 Q So, in other words --

4 A I think this is also generated with a laser. I am  
5 not really certain on this one.

6 Q So, in other words, you have a soft copy screen --

7 A Yes.

8 Q -- in your office and then you took a photo of that  
9 screen, is that correct?

10 A Essentially. I take a photo off another screen  
11 which is called a Dunn Camera which is made especially for  
12 these types of instruments. It's another high quality screen  
13 that operates in conjunction with -- on 35 mm film or on  
14 large 8 by 10 color Polaroid Film. I think this particular  
15 picture was taken, however, with a laser scanner, again, and  
16 created into a slide.

17 Q In your opinion, does this particular picture, the  
18 first slide Number 9 give a clear -- give clear definition  
19 than the original photographic negative that it was taken  
20 from?

21 A No. Very definitely not.

22 : Q It does not?

23 A No. This is one quarter the revolution. One --

1 yeah, approximately one fourth the revolution of -- that I  
2 scanned at so that the quality of the original negative would  
3 be much better than that. Essentially what I have done here  
4 is taken the original negative and blown it up and then  
5 compressed it so I had both an enlargement and a minification  
6 of the image. And it's to show the overall scene, but you  
7 will notice there are no measurements or anything like that.

8 Q I understand. So in other words, this slide is  
9 no different than -- as far as quality of a picture than the  
10 -- than a hard copy picture that Mr. Macomber would have  
11 brought to you originally?

12 MR. CONNORS: Objection.

13 THE WITNESS: No. This is a lower quality because  
14 I purposely, in order to get the entire picture on the  
15 screen --

16 BY MR. McMANUS:

17 Q Okay.

18 A -- I have minified the picture that I had by the  
19 factor of at least four. So essentially in my minification  
20 process here I have thrown away some information even though  
21 you may not see it at this level. If I were to take that  
22 same slide, compare it with the negative under high magnification  
23 the original negative would appear much better.

1 Q But if I were to put up a slide that Mr. Macomber

2 had brought on which you based this slide that you have made,  
3 his slide would be better?

4 MR. CONNORS: Objection. He brought negatives.

5 THE WITNESS: He brought a negative.

6 If he were to take a positive transparency from  
7 his negative, it would be somewhat different appearing than  
8 this.

9 BY MR. McMANUS:

10 Q And which would be better in quality for being able  
11 to see what is in --

12 A For being able -- if you use -- at this size and  
13 this projection located where you are in the room, there's  
14 probably not very much difference.

15 Q Okay.

16 A Under magnification, the negative would definitely  
17 be better.

18 Q If you could just explain the significance of the

19 --

20 A Okay. Basically what --

21 Q I see this slide also has 9 in the upper left-hand  
22 corner.

23 A Yeah. This is the same scene. The only difference

1 between the prior one and this one is now I have annotated  
2 on the display some boxes and the boxes are in subsequent  
3 frames that you are going to see the area contained within  
4 each of the boxes labeled a, b, c, d and I think they're  
5 going to be labeled 9a, 9b, 9c, 9d. It will be shown as  
6 a full slide at full revolution basically and what I've done  
7 is delineate from the north south trending walkway out to the  
8 troop compartment, an area taken in there.

9 Q What was the purpose of breaking down the boxes?

10 A Just so -- just because, again, this is at a  
11 reduced revolution from what my system is capable of, but to  
12 give an overall view of what we're looking at. Then when I  
13 go into a magnified version, we can refer back to this image  
14 and see where we are.

15 Q And what part does this process play in making  
16 measurements?

17 A Essentially, this is from the original set of  
18 data on the original set of slides which I made no measurements  
19 on. The original intent here was to show the quality of the  
20 imagery, what the various processing capabilities of my  
21 system would do and basically what we're looking at here is  
22 could I highlight several differences in the brightness  
23 basically along the path back from the troop compartment.

1 Q And why would you want to be highlighting --

2 A This is the task that I was asked to do, to see if  
3 I could establish ground disturbance along that path.

4 Q And do you feel that you were able to do that?

5 A I think so, yes.

6 Q And do any of the further slides show that so  
7 you can give me a better explanation or can you explain that  
8 from this slide?

9 A Each of the next slides will show the magnified  
10 version and I think you can see the area that we are talking  
11 about.

12 Q Would you put that task within the category of  
13 photo interpretation?

14 A In one sense, yes.

15 Q That involves more than a simple measurement, is that  
16 correct?

17 A There's no measurement involved here. Here it's  
18 just establishing where the normal texture of the ground  
19 scene, the normal texture of the fields or whatever your  
20 looking has been disturbed in a -- in a manner which is  
21 essentially manmade or something which is not natural.

22 Q Did you reach any conclusions about that?

23 A Basically I see ground disturbance the full

1 distance of this picture.

2 Q Could you point that out to me?

3 A In this picture here, it's basically from an area  
4 here where there's an obvious amount of debris.

5 Q That's in the upper right-hand quadrant of  
6 Square A --

7 MR. CONNORS: Left-hand.

8 BY MR.~McMANUS:

9 Q I'm sorry. Left-hand quadrant, Square A.

10 A Extending across the upper section of A to just  
11 above the tail structure of the aircraft, extending across  
12 Box B, the small corner of Box B, and then from the lower  
13 left of Box C up to the base of the troop compartment.

14 Q So, it's your opinion then that there is a  
15 continuous track there, is that what you're telling me?

16 A There's continuous ground disturbance there, yes.

17 Q Was that part of your task to determine whether or  
18 not there was continuous ground disturbance?

19 A It was to see if I could visually highlight the  
20 subtle differences that might occur due to ground disturbance.

21 Q And how did you go about doing that?

22 .. A This is basically what we call contrast stretching  
23 which I described earlier.

1 Q But I didn't understand at that time that one of

2 your tasks was to determine ground level or ground disturbances  
3 so if you could go through it step by step what you did to  
4 determine --

5 A Okay. If you go to the next slide -- from this  
6 slide would essentially set up the boxes and from the next  
7 slide we then go into the areas --

8 Q This is Slide 9-A?

9 A 9-A which shows the information that is contained  
10 in the box basically in A of 9. Again, locating from this  
11 large area of debris here and seeing the -- the definite  
12 markings along here, the vegetation disturbed, the tremendous  
13 amounts of debris, wheel -- wheel structure here, and  
14 continuing on; definitely a set of marks which essentially  
15 go from about the center of the picture toward the upper  
16 right corner of the picture.

17 Q Now, has this slide here been laser enhanced?

18 9-A?

19 A No. Essentially we don't laser enhance. The laser  
20 only is used as a lightbulb in exposing the film in this  
21 sense. We have contrast stretched the image in order to  
22 make the -- those areas which would tend to be similar in  
23 gray level, we've increased the contrast in that area; very

1 similar to turning up the contrast on a television set,

2 changing the contrast brightness of a television set so that  
3 you can see fine details.

4 Q Now, in this slide, Mr. Atkins, there are some --  
5 several lines that run almost perpendicular across the top  
6 half of the slide. Do you know what those are?

7 A These here?

8 Q Yes.

9 A There are some markings in the field and I would  
10 call them either drainage or irrigation or some form of a  
11 cultivated type marking in the field. Nothing, I don't  
12 believe, to do with --

13 Q Do you know if those are depressions or if those  
14 are small dikes or hill-type structures?

15 A I would have to be offering an opinion, but it's  
16 quite obvious that they're depressions.

17 Q And why do you say that?

1           A    Well, noting that where some of the features --  
2           the water basically -- you can see standing water in many  
3           areas. Not in this particular photo, but in some of the  
4           adjacent photos or some of the photos of the area you can  
5           in fact see standing water in some of those tracks that go  
6           to the end of the field as though it were an irrigation or  
7           drainage system.

8           Q    Do any of those markings which you have character-  
9           ized as being ditches appear to be broken or disturbed?

10          A    I see disturbance across them, yes.

11          Q    Do you have an opinion as to the cause of the  
12           disturbance?

13          A    Well, it's in line with the troop compartment,  
14           with the position of the troop compartment across the entire  
15           image.

16          Q    So, in your opinion, the troop compartment caused  
17           the breaks in the ditches in 9A?

18          A    The -- yes. Something -- either the troop  
19           compartment or something attached to it or something that  
20           was going in the same direction.

21          Q    Well, do you know what?

22          A    You mean what actually caused it?

23          Q    Yes.

1 A No.

2 Q Now, this is 9B.

3 A This is 9B which slightly overlaps with 9A and  
4 we can continue the -- eventually the ground disturbance  
5 here and, again, seeing the markings or debris scattered  
6 across a section which goes from about left center to upper  
7 right in the picture.

8 Q Now, it's your opinion that that is a continuous  
9 track mark, is that what you're telling me?

10 A Well, I don't -- if I could define it track mark.  
11 There are points that are definite appearing track marks.  
12 There are points that are gauges. There are areas which are  
13 disturbed vegetation and areas which are very heavily covered  
14 with debris, all in the same general direction.

15 Q Do you have an opinion as to what those marks  
16 were caused by?

17 A I would say that, again, it was affiliated with the  
18 troop compartment. It leads, again, right to the troop  
19 compartment.

20 Q 9C?

21 A 9C again, is a continuation with an overlap of 9B  
22 and it begins starting at the lower left of 9C going about --  
23 going to the aft end of the troop compartment, definite grow

1 disturbance the whole distance.

2 Q And it's your opinion that that is a continuous  
3 track?

4 A It's continuous disturbance, yes.

5 Q Well, what do you mean by disturbance?

6 A Well, I mean that if it's either -- there's gauge  
7 marks in the same direction, there's earth or soil that  
8 has been disturbed, there is heavy debris, there is vegeta-  
9 tion that's been disturbed along the whole distance.

10 Q So, is it your opinion that there is a continuous  
11 pattern of disturbances or a single continuous disturbance  
12 in the ground.

13 MR. CONNORS: Objection. He doesn't have to  
14 confine himself to that sort of description.

15 MR. McMANUS: That's my question.

16 THE WITNESS: I wouldn't differentiate between the  
17 two.

18 BY MR. McMANUS:

19 Q Well, is it your opinion that there is one unbroken  
20 line from -- (Pause )

21 What did I start to ask?

22 (Whereupon, the previous question was read back.)

1 BY MR. McMANUS:

2 Q -- the initial area you described in 9A through  
3 the area you described in 9B up to the troop compartment  
4 which is there pictured in 9C.

5 MR. CONNORS: I'll object to the form of the  
6 question because of your imposing that unbroken line  
7 definition there or category. He can answer it, if he can.

8 THE WITNESS: I see a continuous disturbance.  
9 I can't define a single line. A single area, yes.

10 BY MR. McMANUS:

11 Q What is depicted here in 9D?

12 A The area in the wing area which essentially, I  
13 did nothing with. Again, these were sample images. Many  
14 of them were sample images. At that point, I didn't know  
15 how much I was going to be doing.

16 Q Number 10, what is that? What does that depict?

17 A This is basically the same scene that is shown  
18 in 9. This is equivalent to Walker 340 photo and it's the  
19 one that's -- that I actually made measurement from based  
20 upon the troop compartment in the latest set of photos.  
21 At this point, it was just to show this particular area and  
22 the reason that Morain originally chose it and the reason  
23 that I used it was the -- the appearance path from the point

1 of debris in the center out to the troop compartment is  
2 nearly horizontal with the edges of the frame and therefore,  
3 would make -- would qualify as a good point for measurements.

4 Q This is -- the next slide is also number 10 but  
5 it has some additional squares on it. What are those squares  
6 for?

7 A Again, I did the same thing that I did in 9 where  
8 I have delineated -- I will delineate on the next few slides  
9 the areas designated at full revolution so we can --

10 Q All right. 10A. Can you describe that, please?

11 A That's starting at the north/south trending walkway  
12 showing the large piece of debris at that point and showing  
13 the disturbance which I indicate to be toward the right  
14 center of the photo.

15 Q And what did you use that photo for? That picture  
16 for?

17 A Essentially, again, this was to establish the  
18 disturbed area up to the troop compartment. It's very similar  
19 to 9.

20 Q So, this wasn't used for any measurement purposes?

21 A This is the one that will later be used for  
22 measurement, yes.

23 Q 10B?

Again, the same continuation of the disturbance ---  
going almost directly across the center of the frame.

Q Do you see anything else in that picture or any other area in that picture that you would refer to as a disturbed area?

A Well, the whole picture has disturbance in it in that there is debris scattered across the entire picture. At the lower left side it's the tail section of the aircraft. In the center of the previous area described, there is a set of tires and in the -- also in the very upper section there is an indication going along the same type of direction

(Discussion off the record.)

BY MR. McMANUS:

9 Would you describe 10C?

A Yeah. 10C is very similar to 10B in that the disturbed area goes directly across the center of the photograph.

Q And it's your opinion that that's a continuous area of disturbance?

#### 4. Continuous disturbance area.

Q 10D?

A 10D shows at the lower left a disturbed area from the aft end of the troop compartment to the edge of the phot

1 Q Do you see any difference in the quality of what  
2 you have described as disturbed area if we go back to 10C?  
3 Does that appear to be all the same to you?

4 MR. CONNORS: Objection.

5 THE WITNESS: I don't understand the question.

6 BY MR. McMANUS:

7 Q Well, you have described a disturbed area as going  
8 across the middle of that entire slide, 10C.

9 A Yes.

10 Q Is there any difference in the quality of the  
11 disturbed area from one side to the other?

12 MR. CONNORS: I will object. He can't answer it  
13 if he can't --

14 THE WITNESS: Again, I don't know what the word  
15 quality -- I can see a disturbed area, a definite disturbed  
16 area across the entire picture.

17 BY MR. McMANUS:

18 Q Is the disturbed area on the left hand side of 10C  
19 the same as the disturbed area on the right hand side of 10C?

20 A They're both disturbed. However, on the left side  
21 of the picture there is more debris. There seems to be a  
22 higher -- the vegetation seems to be higher and the disturbed  
23 area has apparently wiped out the vegetation in that area.

1 As we go across to the center of the picture, there's been  
2 a change in the texture pattern of the surrounding land and  
3 that texture may be due to vegetation or what have you.  
4 There's a definite demarcation line running down across  
5 here (indicating) where there is a darker area of vegetation  
6 or soil or what have you on that side. There appears to be  
7 standing water in some of the marks here which I can't --  
8 well, there's also standing water here so -- there's more  
9 standing water. There appears to be almost a continuous  
10 track from the center of the picture over. To the left,  
11 there's a continuous disturbance but I don't see the same  
12 standing water type feature. But there is a definite  
13 difference in the vegetation.

14 Q This is 9D and 10E.

15 A Okay. This is basically the same area in the  
16 compared photo 9 and photo 10 and we can identify a couple  
17 of objects here. This object is this object (indicating) --

18 Q You know what those are?

19 A No. They are obviously debris objects and at this  
20 point, they look like pieces of metal but that would only be  
21 conjecture on my part; but I can definitely, from their shape  
22 say that they are the same object and they're essentially  
23 comparable areas of the disturbance.

1 Q What is the purpose of these two being shown side  
2 by side?

3 A It's just in the particular area there are two  
4 different views of the disturbed area, to show what it might  
5 look like at different perspectives.

6 (Discussion off the record.)

7 BY MR. McMANUS:

8 Q Mr. Atkins, here we have a slide designated again  
9 in the upper left hand corner as 9 and this has a bluish  
10 tint to it. What does this slide depict?

11 A It's identical to the previous 9 except that  
12 it's taken on a different type of film.

13 Q Why was that done?

14 A Again, referring back to the -- all my work was  
15 done on a soft copy display which had higher quality than  
16 I can capture on a film. I have to present some form of  
17 hard copy output and for different types of images, different  
18 types of hard copy are applicable. At this point, I was  
19 shooting -- I was trying to find the one that presented the  
20 best visual rendition of the photos that I had worked with.

21 Q Is this number 9 the same as the previous number  
22 that we looked at?

23 A Identically the same computer information in it.

1 Q Okay. Have you determined which hard copy form  
2 is closest to your soft copy projections?

3 A Neither one are very close.

4 Q Between the purely black and white and the blue  
5 tinted, which is the best, in your opinion?

6 A I think the visual system tends to like the blue -  
7 green tint to bring out smaller contrast details.

8 Q By the visual system, you mean the human eye?

9 A The human eye and it tends to be commetically  
10 better.

11 Q This is 9 with the squares designated A, B, C, D?

12 A Again, identically the same as the previous --

13 Q 9A is the same, 9B, 9C, 10?

14 A (Indicating in the affirmative.)

15 Q 10 with the squares. (Pause.)

16 These photos almost look like they are night time  
17 photos, don't they?

18 A They are a little under exposed. They tend to show  
19 darker on your projection system than if you looked at them  
20 with a hand viewer. But they are slightly under exposed.

21 Q And that under exposure is because of your attempt  
22 to make them as close as possible to the soft glare? I mean  
23 the --

1 A No. No, it's just -- I put one setting on the  
2 camera for all the pictures. There is variation in  
3 brightness from picture to picture which I actually should  
4 go in and try to optimize the exposure for each one if I  
5 were trying to emulate what the display was doing.

6 Q Now, this is 9 again?

7 A 9, yeah, I don't think there is any -- I think it  
8 is just a duplicate.

9 Q Here we have 9A, B, C, D again.

10 A I think these may be a duplicate set.

11 MR. CONNORS: As I said in the beginning, there's  
12 a row of duplications in there. They were put in numerical  
13 order each time -- wait a minute. Back up. Can you back up  
14 one?

15 THE WITNESS: That was a new one.

16 BY MR. McMANUS:

17 Q Now, this is a picture designated as 20 in the  
18 upper left hand corner.

19 A Uh-huh.

20 Q What is that?

21 A That essentially is -- I think it's Walker 289.

22 Q What did you use that photo for?

23 A And essentially later on the next set I will

1 designate areas here and -- so that measurements -- so that  
2 you can sort of take measurements from this thing. I made  
3 no measurements on this particular image. In fact, the  
4 image itself actually continues down below what's on the  
5 screen there to the river's edge and so it shows the entire  
6 path from the river's edge out to the wings. It was put in  
7 for that purpose showing the entire area.

8 Q Did you use this photo to determine whether or not  
9 there was, as you have called it, a continuous area of  
10 ground disturbance?

11 A No.

12 Q Any particular reason why you didn't use it?

13 A Yes. One, the overall picture here is -- the  
14 negative quality is poorer than those previous pictures. This  
15 is taken on, I think, triax film which tends to be grainier  
16 and, the basic quality of the original picture isn't as good  
17 and the scaling is different, so -- plus the perspective.

18 Q All right. The next slide is designated as 748.  
19 Can you tell me what that is?

20 A Okay. This is out of the latest set that -- my  
21 essentially completed set which in fact you will see  
22 measurements on and the numbers in the corner represent the  
23 Walker numbers of the photos that I took excerpts from the

1 picture basically and this compares with the Morain photo  
2 in which he measured the tire dimension, used that tire  
3 dimension to then scale a field border dimension. In fact,  
4 I've done the same -- the same measurement here and the  
5 results show at the bottom of the slide.

6 Q Is a pixel always the same size?

7 A In relative terms, yes. In absolute terms, no.  
8 The spacing when I scanned and digitized the photographs,  
9 the pixels are always located on the original negative  
10 exactly one thousandth of an inch apart in both directions.  
11 When I convert it up to a scene like this, the actual  
12 scaling in the photographs varies depending on how far away  
13 you were when you took the photos and things like that. So,  
14 one pixel, if I were trying to equate pixels to feet, that  
15 would be a relative measurement depending upon the scale of  
16 a particular photo I was using.

17 Q Well, how do you determine say in this slide  
18 what a pixel in the smaller of the two photos is worth as  
19 compared to a pixel in the larger of the two?

20 A Well, essentially what I have done is I have taken  
21 measurements off the full revolution size of the large photo  
22 which, if I blew it up to its proper dimensions, would --  
23 this tire that's in this box here would appear this size and

1 just to get it on a proper display here. Actually, I think  
2 that eight times this (indicating), so that this picture  
3 would now blow up to be eight times larger than it appears  
4 on this particular screen. I need the measurements, the  
5 actual measurements on the eight times blow up so that the  
6 number, the 2616 pixels measured from this point to this  
7 point (indicating) would be on the eight times blow up. I've  
8 then just shown an annotated version of this so that you  
9 shouldn't use this for actual measurements, but this picture  
10 you could. Now, on this picture, I knew I was given the  
11 actual tire dimension. The actual tire dimension, the  
12 diameter of the tire was given to me as 4.02 feet. I then  
13 measured how many pixels that was on the display system and  
14 by a straight calculation, I can then determine that there  
15 are so many pixels per foot. I then take the number of  
16 pixels that I have measured in the field boundary and do  
17 the straight proportion calculation and come up with the  
18 numbers shown. Now, in this case, the sixth degree that  
19 I have in there, in the Morain report, he talks about  
20 correction for perspectives and in this case, this field  
21 -was not corrected for perspectives and he said that if it's  
22 less than 10 degrees out of -- in the perspective dimension,  
23 it would be a very small number for correction. I actually

1 measured it to be six degrees which is even smaller than  
2 he said and therefore, I did not make any corrections. My -  
3 my number stands as -- and again, it is projected off the  
4 edge of the particular image that we had available to us.  
5 I projected field boundaries in two dimensions and taking  
6 the intersection point exactly as Dr. Morain had done. Our  
7 major difference in this particular field dimension, I think,  
8 is in the tire, the absolute tire size. Dr. Morain's number  
9 was somewhat less than this, and I don't recall what it was.

10 Q But you both used the tire as your reference --

11 A We both used the tire, right. He made some other  
12 measurements based upon the height of the dike in the  
13 background based upon the dimensions of the tire which one,  
14 the task allotted to me really didn't require that; but I  
15 have put the 24 pixels beside the tire which would indicate  
16 what a 17 inch width tire would be equivalent to and you  
17 can compare that line with the height of the dike in the  
18 background where it need be. I haven't done that at this  
19 point.

20 Q Now, in the smaller of the two pictures on this  
21 'slide, you have 67.62 pixels as the length of the tire' is  
22 that correct?

23 A As the diameter of the tire.

Q. And as we are looking at the picture, it appears to be the length of the tire --

A. Well --

Q. The diameter would be the same.

A. The tire is on its side.

Q. And you were given that that tire is 4. --

A. 4.02 feet.

Q. -- 02 feet. So, if we precisely doubled the size of that picture making it twice the scale that it is now, the width of the tire in pixels then would be 67.62 times two, is that correct?

A. Right.

MR. CONNORS: I am going to object to that question. Basically, there is two pictures in that last slide and I know which one you're referring to but the record is not going to be clear on that.

cd MR. McMANUS: Well, I said the larger of the two.

2 You would agree that there are two pictures and one is big-  
3 ger than the other?

4 MR. CONNORS: Yes.

5 WITNESS: Magnified image of the tire.

6 BY MR. McMANUS:

7 Q. The one I was referring to when I asked the last  
8 question specifically referred to the magnified image of  
9 the tire and Mr. Atkins understood that, is that correct,  
10 sir?

11 A. Yes.

12 Q. Now, this next slide shows two designations, 267  
13 and 269.

14 A. These are two separate Walker frame numbers and  
15 what I have done is take -- this is on the East side of  
16 the river showing touch down points or what has been de-  
17 scribed to me as the initial touch down point; and bas-  
18 ically what I've done is outlined the field in the area and  
19 I then put pixel -- these are pixel measurements, not di-  
20 mensions. They are pixel measurements of each of the sides  
21 -- apparent sides of the field here, the perimeter, so that  
22 I can get the perimeters. I've also designated each field  
23 with a letter designation A through L, I guess, although

1 A, B and C don't show up on all -- the same ones show in  
2 the top and the bottom of the section. And this was basic-  
3 ally to show that 269 was the one used for measurements in  
4 which the path of the aircraft goes almost directly across  
5 the center of the picture which would be a good place for  
6 measurements; whereas in the other one, the perspective  
7 is considerably different and it shows the effect of the  
8 various measures of -- the perspective of a field. What  
9 we can assume is that these fields haven't changed their  
10 dimensions at all but yet if you try to review all of the  
11 sides in one picture to the other you'll find that there's  
12 a difference. And this is just to show the effect of the  
13 trigonometric functions that you need to operate on a picture  
14 if you are not looking along the horizontal line.

15 Q. Just so I am clear, and at 257 you have desig-  
16 nated lettered fields, is that correct?

17 A. Yes, sir.

18 Q. And those match up with the same designated lettered  
19 fields as in 269?

20 A. Right. The field D shown here and the field D  
21 shown here are the same field.

22 Q. Now, in each of those pictures, pixels are the  
23 same size, is that correct?

1       A. Pixels are always the same size. Pixels scale  
2 is not. And that is -- in this case, we are dealing with  
3 the dimensions, the absolute dimensions which are pixel  
4 scale times perspective and this is where the variation  
5 would occur in these pictures.

6       Q. Do you know what the perspectives are for those  
7 two pictures?

8       A. I can tell that the one at the top is looking  
9 much more vertical than the one at the bottom, but because  
10 the path that we're looking for goes from the bottom corner  
11 to the top corner, the opposite top corner in 257, that's  
12 why we chose to ignore that particular picture for actual  
13 measurement and went to 269. You'll see 269 later on where  
14 I've actually referred to some measurements in that one.  
15 You will also see that this field designater, the letters  
16 show up on some of the later slides just to orient us with  
17 respect to where those things are. This was not intedded  
18 for dimensions or anything but merely to show what the  
19 effective perspective would be on those.

20       Q. Alright. This next slide is designated as 321.

21       A. This is Walker 321. It's put in only for a complete  
22 list of the Morain photos. Basically, Morain measured or  
23 stated something about the depth of the tracks in this place

1 and depth measurements based upon this type of imagery  
2 scaling of people are -- I just felt that I couldn't  
3 do much in that area.

4 Q. Slide 735.

5 A. Again, the letter designators refer back to the  
6 previous slide, the same field so that we can see where  
7 things are happening and you can see touch down -- at least  
8 touch points for the aircraft in the field; and again, I  
9 didn't make any measurements. It's just to designate the  
10 --

11 Q. Are those letters to designate the same fields  
12 that were indicated on 259 -- 257 and 269?

13 A. Yes.

14 Q. 736.

15 A. The same type of field designator only this shows  
16 down the track slightly and shows at the junction of two  
17 other fields; and again, no measurements were made on that.  
18 It just designates the particular fields.

19 Q. Now, this is 269 again.

20 A. This is 269, only this time I have taken the di-  
21 mension. I have -- again, it shows the field designation  
22 but the measurements from 748 is shown at the far right.  
23 It's the same field boundary that is measured in 748 and it

1 just shows it as scaled on this particular photo.

2 Q. Now, how did you determine the feet? Again, that  
3 was from 748 using the tire?

4 A. That was from 748 using the tire. This will be  
5 used again in the next set.

6 Q. 340. Slide 340.

7 A. Now we go to the West bank and this is what I  
8 base the measurements on the West bank on, this particular  
9 photo. It's Walker 340 and it measures -- it basically  
10 -- what shows in the photograph there are some arrows  
11 and lines and degrees showing the troop compartment and a  
12 three degree indication. The three degrees is not to say  
13 that the path is off three degrees from the glide path or  
14 anything like that. That three degrees is reference to the  
15 display coordinate system to show that the perspective cor-  
16 rection doesn't have to be done basically here. The -- I  
17 measured the total -- the distance from one end of the troop  
18 compartment to the other, determined that to be so many  
19 pixels, equated that to the number of feet that was given  
20 to me, then measured the pixel length from the north south  
21 ..trending walkway to the forward end of the troop compartment  
22 and calculated what the total length of that would be. I  
23 also verified in this particular image the perspective and

1 the measurement of the tail. I didn't -- I took the troop  
2 compartment measurement. I used the irrigation or drainage  
3 canals in the field just above the tail section to establish  
4 what the perspective would be. In fact, I measured the dis-  
5 tance between these points assuming -- the one assumption  
6 I made was that those lines were parallel. If they're  
7 parallel, then I can, in effect, establish what the perspec-  
8 tive would be as I went up in that direction. I then took  
9 the same height in the image as the troop compartment, made  
10 a measurement on those irrigation or trenches at that point,  
11 made another measurement down here at this height, determined  
12 what the difference was that the dimension of the tail out  
13 of it and I then re-calculated what the total length of the  
14 field would be and I came within five feet I believe of that  
15 overall 790 foot dimension. So, I -- to my satisfaction,  
16 I verified the length that we are using.

17 Q. Now, your reference point again was the troop  
18 compartment, is that correct? That's what you used as your  
19 --

20 A. I used that as the absolute scale.

21 Q. Now, we have these slides 340A, B, C, and D.  
22 Would you explain those?

23 A. Okay. This essentially is in pixels. All dimen-

1       sions are in pixels and shows identically the same -- it's  
2       a blow-up of the area contained in 340 on the previous  
3       slide.

4       Q.     This slide is designated as 262 and 259.

5       A.     Alright. I've taken a section out of both images  
6       and this is where I transform certain objects or locations  
7       in the particular scene so that I can relate them to scale  
8       then to dimensions later on. Basically, what I have done  
9       is these two pictures were taken almost at 90 degree angles.  
10      262 is what we are actually going to use to make our over-  
11      all measurement from the river bank to the troop compartment  
12      and I used the entire 262. This only shows a segment of it.  
13      What I have done is I designated area -- points in 262  
14      which I can also see in 259 and essentially their field  
15      boundaries in this case. I've designated the same points  
16      and now we can essentially -- I would use this in the set  
17      of slides to relate the perspectives and the measurements.

18      Q.     This next slide, number 259.

19      A.     This is the total 259 showing the troop compart-  
20      ment up at the end. It shows a little bit more than the  
21      previous 259.

22      Q.     What is the purpose of the markings on this slide  
23      and the previous one?

1 A. It's, again, to show -- we'll be able to show  
2 where various things have occurred in the image. I will be  
3 able to give you dimensions from each one of these points  
4 based upon scaling of another photograph.

5 Q. What items were you asked to give distances for?

6 A. The river bank to the troop compartment.

7 Q. Well, you said you could use those in describing  
8 where things occurred. What things are you referring to?

9 A. Well, where any objects are located along the  
10 path, where fields go, if you can find a particular object  
11 in a track and want to know how far it is from the river  
12 bank say you should be able to go in here, locate it on here  
13 and be able to come up with the dimensions.

14 Q. The next slide is designated as 262.

15 A. Okay. This is the one -- the 262 is the one we  
16 actually used for the overall river bank to the troop  
17 carrier dimension scaling and again, I have shown the same  
18 designated points as in the previous slides.

19 And now what I have done is from point -- the  
20 north south trending walkway to point M which is the for-  
21 ward end of the troop carrier, I know the absolute dimension  
22 of that from photo 340, okay; and that's from this area  
23 here up to here. I know that to be 790.2 feet measured

1 on photo 340. I then go in because all of these other  
2 points are on the horizontal line in the same direction as  
3 that, I have proportioned and measured the number of pixels  
4 between each one of those points and I have given you the  
5 number of pixels that I have measured and I have converted  
6 it into feet using the 790.2 dimension. And so you can  
7 determine between any of the field boundaries or any of the  
8 objects that I have designated on this particular scene you  
9 can determine what the overall dimensions are.

10 Q. We have skipped a couple. Number 767. Does that  
11 have any particular significance in your - -

12 A. This one and the two previous ones - -

13 Q. - - calculations?

14 A. - - both have - - not in calculations, but I can  
15 refer the same designated points that I made measurements to  
16 so you can now go in and on this particular photo establish  
17 the relative measurements of different objects if you need  
18 to.

19 Q. You have a series here of slides, 340, 340A, B, etc.  
20 What were these used for?

21 A. This is part of the same measurements. This is  
22 where I actually got the measurement for the distance from  
23 the north south trending walkway to the troop compartment.

1 Q. I don't see any designations on them.

2 A. No, but they are the images that I used and again,  
3 this is a copy of the -- from the original 42 set showing  
4 the disturbed area on the ground with no measurements; just  
5 showing the area. It's basically a duplicate.

6 Q. The next slide is marked 300.

7 A. Again, no measurements were made on this.

8 Q. 83. Slide 83. There is no significance to that?

9 A. It's just -- I put in the complete Morain images  
10 as they would appear out of the system basically.

11 Q. Slide 757.

12 A. No measurements were made on it. Again, just to  
13 show the quality.

14 Q. 187.

15 A. Morain made in -- actually tried to determine  
16 the diameter of the aft end of the troop compartment from  
17 this photo. It's just -- I was given that dimension basic-  
18 ally so I made no measurements.

19 Again, no measurements; just looking, digitizing and  
20 scanning the image to give you the feel for quality.

21 Q. But this slide was digitized and scanned?

22 A. All the ones you have seen here have been digitized  
23 and scanned.

1 Q. This one has no number, but it's - -

2 MR. CONNORS: Yes, it does.

3 WITNESS: 762.

4 BY MR. McMANUS:

5 Q. Where do you see that? Oh, I see. It does have  
6 762 very, very faintly in the upper left hand corner. It  
7 is a picture of two wheels in the foreground and the troop  
8 compartment straight back from that about 3/4's the way up  
9 the photo, the flightdeck off to the left.

10 761. Does that have any significance to your measure-  
11 ments?

12 A. No. It is again another Morain photo.

13 MR CONNORS: For the record, the next group of  
14 slides will be a duplicate of those photographs with color  
15 film, but there are two additions in there which correspond  
16 to those two blanks we saw earlier and they're new slides.

17 MR. McMANUS: We will get to that. Let me make  
18 copies of these. We're almost done.

19 (Pause)

20 Now, again, Mr. Atkins, these are the same slides  
21 we have just seen but these have been printed in color, is  
22 that correct?

23 WITNESS: No. The previous ones were exposed on

1 black and white film. These were exposed on color film.

2 BY MR. McMANUS:

3 Q. And that's the only difference?

4 A. That's the only difference, yes.

5 Q. And that, again, was part of your effort to try  
6 and get as close to the soft prints as you could?

7 A. Yeah, I tried; yes.

8 Q. Well, I'm just going to go through these and  
9 could you stop me when I reach the two we haven't seen  
10 before?

11 A. Okay.

12 (Pause)

13 That one -- I'm sorry. You saw the top half of  
14 this before, but now the difference is here there is two  
15 other dimensions that I put on and I've proportioned those  
16 dimensions from 748 which is the field boundary at that  
17 point and established the point which I think is to be the  
18 break in this field boundary and then the break in the other  
19 field boundary and it is just to give an overall view of  
20 the distances we're talking about here.

21 Q. This slide is, again, 269?

22 A. This one.

23 Q. Alright. This slide is number 262?

1       A. And essentially what I have done is taken the  
2 information off the previous slides and just put it down  
3 to a couple of simple dimensions. I think that the dimen-  
4 sions I have here correspond exactly to where Morain's  
5 measurements occurred; from the initial - - the river bank  
6 to the dike, the dike to the aft end of the troop compart-  
7 ment, and from the north south trending walkway to the aft  
8 end of the troop compartment.

9       Q. Alright. So, it's your opinion that both you and  
10 Dr. Morain have measured from point A to - - is that N?

11      A. M.

12      Q. M. And that you've both measured from the river  
13 bank which is a bit before point A.

14      A. I am not certain that he measured the river bank  
15 to point A.

16      Q. Alright. Now, how about from - - there's no des-  
17 ignation, but I guess you've been calling it the walkway,  
18 the beginning of your 715 feet. Is it your opinion that  
19 you and Dr. Morain measured from the point at the beginning  
20 of the walkway to your point F?

21      A. Yeah, I think we both have that same picture.

22      Q. Alright. Mr. Atkins, we have had copies made of  
23 the notes and some photos in your file.

2 BY MR. MCMANUS:

3 Q. Now, I'd like to divide what we have copied into  
4 two catagories. First, we'll mark as Atkins Exhibit 7 the  
5 23 pages of notes and notepaper, xeroxes of pictures that  
6 came from your file and then as Atkins Exhibit 8 the xerox  
7 copies we have made of the 17 photos that you've picked out  
8 for me as being those that were given to you by Haight,  
9 Gardner and from which you have made your project.

10 ( The documents referred to were  
11 marked as Atkins Exhibit 7 and  
12 Atkins Exhibit 8 for identifica-  
13 tion.)

14 BY MR. MCMANUS:

15 Q. I would appreciate it if you could quickly go  
16 through the Atkins No. 7. In other words, the paper notes  
17 that you have and identify those, what they are.

18 A. Page 7?

19 Q. No, Atkins Exhibit No. 7.

20 A. Oh, okay. The whole thing.

21 Q. Page 1 is the same information that's located on one  
22 of these photos - - one of these slides we just saw and  
23 this was only in case I had to reference it. I didn't have

1 a copy of the photo.

2 The next several pages are a description of the C4500  
3 Coloration Mark II optronic scanner that I used to digitize  
4 and imagery and the specifications of it.

5 Then in the next page, shows the coordinate systems of  
6 some of the images that I am working with.

1 The coordinates based upon where they are located on the --  
2 for my reference purposes, where they're located on the  
3 computer. There's also some verification of the calculations  
4 that the computer essentially made which I took the thing  
5 and I just hand calculated them.

6 The next two pages basically are just -- refer  
7 to the particular Walker numbers of imagery and I have just  
8 notes on the measurements that I actually made. All of the  
9 information is contained in the photographs -- in the slides  
10 basically now. This was again, for transfer to my notes  
11 rather than keep them on the photographs.

12 The next page again, is some shorthand describing  
13 my -- the way the images are basically catalogued in the  
14 computer and how I refer to them. It's a bookkeeping type  
15 of thing.

16 The next thing is a correlation of Morain number  
17 and Walker number against the particular images that I used  
18 and the only difference between my images and the Morain  
19 images, I used one more image -- no, I didn't. I originally  
20 had used one additional image that he didn't use, 252, but  
21 I made no measurement. I made some initial measurements, but  
22 haven't been presented here, I don't believe.

23 The next page, this is a complete list of all

1 of the negatives that I had at any time. The negative  
2 numbers in the first column basically, and the comparative --  
3 the comparable Walker numbers in the second column; just,  
4 again, for bookkeeping purposes.

5 Then there is nine pages of photocopies of --  
6 again, a correlation between Morain pictures and Walker  
7 pictures being numbered; and again, for reference purposes,  
8 to keep track of where everything was.

9 And then at the end, a set of photographs which --

10 MR. CONNORS: Just a minute. Atkins 7 --

11 THE WITNESS: Oh, there's two. Okay.

12 BY MR. McMANUS:

13 Q Now Atkins 8.

14 A Atkins 8 is a set of photographs.

15 Q And I believe there are 18 there. I might have  
16 said 17 before. The top one is one from the original 12  
17 and I believe the next 17 are the 17 we referred to.

18 A These essentially, are the 17 corresponding to  
19 the Morain used pictures plus Walker's 339 and these were  
20 taken from the original negative. There are photographic  
21 reproductions from the original negatives. Again, for  
22 reference purposes.

23 (Discussion off the record.)

3 1 BY MR. McMANUS:

2 Q All right. Mr. Atkins, are you aware that the  
3 Government yesterday produced additional aerial photographs?

4 A I have been told that, yes.

5 Q Have you seen them yet?

6 A I haven't seen the original photographs, no.

7 Q Have you seen copies of them?

8 A I saw a xerox, a very poor quality xerox copy.

9 Q Did you use that in any way to make comparisons with  
10 any of the photos that you have used or any of the measurements  
11 that you have made?

12 A Basically, no. Just visually looked at them. They  
13 are vertical shots.

14 Q Would those make it easier to measure?

15 A If they are of a sufficient quality and I couldn't  
16 tell from the xeroxed copies.

17 Q Do you have plans to look at the originals that  
18 have been produced by the Government and --

19 A At this point, I am not under contract to do that.

20 Q I presume you are getting paid for your services?

21 A Yes.

22 Q At what rate?

23 A It's a contract with ITEK. It's a time and

1 materials contract. I believe it's \$123 an hour.

2 Q And that's what Lockheed pays ITEK?

3 A That's -- well, we are under contract to Haight,  
4 Gardner and that's what --

5 Q Somebody pays ITEK \$123 an hour for your services  
6 and the materials that you use?

7 A Yeah, my services and any of my support services.

8 Q Is that a straight hourly rate?

9 A That's a straight -- the way the contract is, it's  
10 a time and materials contract.

11 Q So, for the time that it took for you to fly down  
12 to Washington from Massachusetts, that's also \$123 an hour?

13 A No.

14 Q No?

15 A No. Our time and material would not normally be  
16 based -- unless under absolutely some other type of conditions  
17 being the level engineer that I am, trips are normally not  
18 paid for by the company other than expenses so that there  
19 would be no overtime pay or anything like that. It would be  
20 a straight eight hour type day in general.

21 Q Well, I presume you left Massachusetts this  
22 morning?

23 A No, last night.

1 Q Last night? You are getting paid for being here  
2 today, is that correct?

3 A Yes.

4 Q And for billing purposes, what time did your day  
5 start today?

6 A Eight o'clock.

7 Q Eight o'clock in the morning?

8 A It would be an eight hour day.

9 Q Regardless if you don't get back to Massachusetts  
10 until ten o'clock tonight, it will still be billed an eight  
11 hour day?

12 A I think it will be billed as an eight hour day.

13 Yes.

14 Q Do you know Mr. Welsh?

15 A I don't really know him. I have met him.

16 Q Was that in conjunction with this project?

17 A I originally -- because I have the original  
18 negatives of these -- of some of these original negatives,  
19 I made copies in my photo lab which I sent down here and I  
20 sent one copy to him. That's where I first heard the name  
21 and then last night in their office I met him basically.  
22 But, we essentially have no dealings of a technical nature.

23 Q So, you didn't work together --

1 A We have not worked together.

2 Q -- on the project.

3 Have you been asked to be available to give  
4 testimony in the trial of this case that's coming up?

5 A Unofficially, yes, I guess.

6 Q Since Mr. Macomber gave you his description of the  
7 crash, have you been given any other facts as to how the  
8 crash occurred or what happened?

9 A Well, I have talked with Mr. Connors.

10 Q What facts has he given you?

11 A I think he may have corrected one or two of the  
12 things that Mr. Macomber had said and I'm not certain that  
13 there was any additional facts.

14 Q What were the facts that he corrected?

15 A I think the distance to the airport or distance  
16 from Saigon airport or something like that. Originally, I  
17 remembered it something longer than a couple of miles.

18 MR. CONNORS: I also corrected the altitude from  
19 approximately 23,000 to 4 -- 24,000 feet.

20 BY MR. McMANUS:

21 Q Have you disclosed to me today, all of the ~~tasks~~  
22 " that you have been asked to do in conjunction with this  
23 photographic project that you have been working on for

1 Lockheed?

2 A I believe so, yes.

3 Q And as far as you are concerned at this point,  
4 you have done all of your work and we've discussed that here  
5 today?

6 A Yes.

7 MR. McMANUS: Thank you. I have no further  
8 questions.

9 MR. CONNORS: I have just a couple.

10 MR. McMANUS: Are you serious?

11 MR. CONNORS: Uh-huh.

12 (Discussion off the record.)

13 EXAMINATION BY COUNSEL FOR THE DEFENDANT:

14 BY MR. CONNORS:

15 Q I just want to clarify a couple of points, Mr.  
16 Atkins. Mr. McManus asked you several questions, many  
17 questions in fact, about the degree of any enhancement  
18 of these photographs. Are there a variety of types of  
19 enhancements that a firm such as ITEK or persons such as you  
20 could perform on these photographs?

21 A Photographs in general, yes.

22 Q Imagery in general?

23 A Imagery in general, there is a whole other class of

1     enhancements, restoration techniques utilized on photographs  
2     which basically are forte transform filtering operations  
3     which can do edge sharpening, can extract additional infor-  
4     mation from pictures. Those particular types of enhancement  
5     or processing tend to change the information contained in  
6     the image in some manner and in general, they had -- in  
7     general, they add artifacts, what we call artifacts to the  
8     image. They may cause ringing around edges of an object.  
9     They may set up a striation pattern or some form of texture  
10    pattern in the image which for certain purposes, makes it  
11    easier to extract intelligence from it; but for other type  
12    purposes could be misconstrued if you are not careful. None  
13    of that type of imagery has been processed in the system,  
14    presented in this particular type of imagery. Basically,  
15    this type of imagery is fairly high quality compared with  
16    what I would normally work with and the -- normally, we  
17    are given much lower resolution, much fuzzier pictures taken  
18    in haste, under more adverse conditions and ask to try to  
19    extract additional information from it; and these particular  
20    images are fairly high quality to begin with and I think the  
21    mensuration capability is the prime use in my particular  
22    system in this case.

23    Q     Have you done anything to any of these materials

1 which you have compared which put something into the picture  
2 which is not already there?

3 A To the best of my knowledge, no.

4 Q Have you done anything to any of these pictures  
5 or slides which have subtracted anything or suppressed  
6 anything that was in one of the pictures?

7 A I will take back what I've said on the previous  
8 one. There is annotation and all obviously on the pictures  
9 which weren't in the originals. They're all my additions.  
10 As far as the imagery data, again, to the best of my  
11 ability, we have not -- we may have suppressed or visually  
12 optimized some of the information that was contained in the  
13 original, but we haven't altered the original data in any  
14 way.

15 Q You referred specifically to a contrast stretch,  
16 is that correct?

17 A Yes.

18 Q Does that change in the form of adding or sub-  
19 tracting any physical features to the picture or slide in  
20 which we have used here today?

21 A No, essentially no. It allows you to see features  
22 which are of very low contrast in the original image and  
23 essentially, it's equivalent to turning the brightness

1 contrast knob on a television set, going from a picture  
2 which is very light colored, hazy across the entire picture  
3 to one that has very high contrast; but you are essentially  
4 not adding any new information to the picture.

5 Q Did, at any time, we ask you to do any alterations  
6 in the form that you outlined before, the forte, edge  
7 enhancements or that sort of thing to any of this material?

8 A The original -- when Mr. Macomber originally  
9 came in, he asked me to look at the imagery and tell him and  
10 show him what I basically could do in terms of enhancement  
11 of that type. I deemed at that point that because of the  
12 quality of the imagery, that it probably wouldn't bias very  
13 much and it basically would be -- he wouldn't have enough  
14 knowledge of what you had added or what you subtracted to  
15 be able to testify to that extent.

16 Q Okay. And you are confident, to the best of your  
17 professional standards that these materials have not been  
18 altered in that fashion, is that correct?

19 A Yes.

20 MR. CONNORS: Okay. That's all I have.

21 MR. McMANUS: Thank you. Thank you.

22 (Whereupon, at 4:37 o'clock p.m., the taking of  
23 the instant deposition ceased.)

I have read the foregoing pages to  
the deposition which contain my  
answer to the questions asked therein  
and find them to be true and correct.

---

Signature of Witness

SUBSCRIBED AND SWORN to before me this \_\_\_\_\_ day  
of \_\_\_\_\_, 1982.

---

Notary Public

My commission expires: \_\_\_\_\_.

**CERTIFICATE OF NOTARY PUBLIC**

**COMMONWEALTH OF VIRGINIA )**

0

**COUNTY OF ARLINGTON** }

I, CLAIREEN M. HOLMES, the officer before whom  
the foregoing deposition was taken, do hereby certify that  
ARLAND A. ATKINS, whose testimony appears in the foregoing  
deposition was duly sworn by me, a Notary Public in and for  
the Commonwealth of Virginia at Large; that the testimony of  
said witness was recorded by me by stenotype and thereafter  
reduced to typewritten form under my direction; that said  
deposition is a true record of the testimony given by said  
witness; that I am neither counsel for, related to, nor  
employed by any of the parties to the action in which this  
deposition was taken; and, further, that I am not a relative  
of or employee of any attorney or counsel employed by the  
parties hereto, nor financially or otherwise interested in  
the outcome of the action.

Notary Public in and for the  
Commonwealth of Virginia at Large

My commission expires: February 8, 1985.